FOR THE MIDDLE	STATES DI STRI CT COURT DI STRI CT OF TENNESSEE NASHVI LLE } Case No. 3:06-00632	
BEFORE THE HONORABLE TODD J. CAMPBELL, CHIEF JUDGE		
TRANSCRI PT		
	OF	
	DCEEDINGS	
June	e 27, 2006	
APPEARANCES:		
For the Petitioner:	Mr. Henry Martin Federal Public Defender Nashville, TN	
	Mr. Mark Olive Attorney at Law Tallahassee, FL	
	Mr. Nick Hare Attorney at Law Office Post Conviction Defender	
For the Respondent:	Jennifer L. Smith Alice Luster Elizabeth Ryan Tenn. Attorney General's Office Nashville, TN	
Official 801 Broac Nashvil	LEIGH, RDR, CRR Court Reporter Way, Room A839 Ie, TN 37203) 726-8697	

Í				
1		INDEX		
2				
3			Page	
4		PETITIONER'S PROOF		
5	DR. GEORGE W. WOODS: Direct Examination by Mr. Olive		15	
6	Direct Examination by Mr. Olive15Cross-Examination by Ms. Smith60Redirect85			
7				
8				
9	EXHI BI TS			
10			41	
11		Attachments	58 60	
12	I . D. P3	Attachment 14 Woods affidavit "Deposition"	91	
13				
14				
15				
16				
17				
18				
19				
20				
21				
22				
23				
24				
25				

The above-styled cause came on to be heard on June 2 27, 2006, before the Honorable Todd J. Campbell, Chief Judge, 3 when the following proceedings were had, to-wit:

4 THE COURT: Thank you. Good morning. Welcome.5 Please be seated. Apologize for the delay.

6 Late last night a motion for stay of execution was 7 filed, and I set a hearing for this morning. And I wanted to 8 lay out some context for what is to be decided today and then 9 of course hear from the parties. The first thing point that 10 needs to be made is Mr. Reid is scheduled to be executed 11 after midnight tonight. And the case is in an unusual 12 posture.

13 First of all, Mr. Reid has not completed his state 14 post conviction process. Mr. Reid has not filed a federal 15 habeas case, which he is entitled to do by statute. And if 16 Mr. Reid pursued those things, he would be entitled to a stay 17 of execution to pursue those cases. The petition that's been 18 filed states that Mr. Reid does not want to pursue those 19 In other words, he is a volunteer for execution and options. 20 that he is incompetent to make those decisions for himself.

And the other unusual thing about this case is there has been specific and direct guidance from the Sixth Circuit Court of Appeals about the process that we need to follow. As many of you may recall, in 2003 we had a similar sort of proceeding. The Court denied Mr. Reid's sister's

request for a stay of execution and was reversed by the Sixth 1 2 Circuit and was given some specific direction. The Sixth 3 Circuit Court of Appeals says that the criteria for the Court 4 at a preliminary hearing on these matters is to determine 5 whether there is any evidence that would raise a reasonable doubt about Reid's competence and entitle him to a full 6 7 evidentiary hearing and that the burden is on the moving party to establish that. And this Court was directed as 8 9 follows.

10 The Sixth Circuit said, We grant the stay of 11 execution until such time as the district court has an 12 opportunity to conduct a full evidentiary hearing allowing 13 the state to evaluate Reid and concerning evidence concerning 14 his competency. In the event the Court finds Reid to be 15 incompetent, then it should allow a suitable person to 16 proceed as next friend. And if he is found competent to waive his further appeals, then the next friend should not be 17 18 appointed.

So in the view of the Court, we really have a two-step process here based on that specific guidance three years ago from the Court of Appeals. The first question is the preliminary hearing question under Harper v. Parker about whether there is any evidence that would raise a reasonable doubt about Mr. Reid's competence that would entitle him to a full evidentiary hearing, and, if so, the Court is directed 1 to hold a full evidentiary hearing.

2 And I am prepared to do both of those things3 today.

In the opinion of the Court, the legal standard is
set out in the Rees case, and it is by a preponderance of the
evidence.

Specifically, the U.S. Supreme Court in Rees
versus Payton established a standard of whether the
individual has the capacity to appreciate his position, make
a rational choice with respect to continuing or abandoning
further litigation or, on the other hand, whether he is
suffering from a mental disease, disorder or defect which may
substantially affect his capacity in the premises.

So the bottom line is Mr. Reid had he -- should he decide to pursue those cases, he is entitled to a stay. According to the papers that are filed, he does not want to pursue those. And the allegation is that he is incompetent to make that decision, and the Sixth Circuit has set out the case law for the Court to follow.

20 So that's the context. I have read what has been 21 filed and understand it and am prepared to go forward. Mr. 22 Martin, how do you intend to proceed?

23 MR. MARTIN: May it please the Court, first like24 introduce the persons at my table.

THE COURT: Okay.

MR. MARTIN: I am Henry Martin, Federal Public 1 2 Defender. To my immediate right, Mark Olive, an attorney 3 from Tallahassee, Florida, also admitted to Tennessee bar and 4 appeared in the proceeding that the Court referred to in 2003. 5 l recall Mr. Olive. THE COURT: Welcome back. 6 7 MR. MARTIN: Of course to Mr. Olive's right is the 8 real party in interest, Paul Dennis Reid. To his right, Mr. Nick Hare, staff attorney with the Office of Post Conviction 9 10 Defender for Tennessee and one of the attorneys who represent 11 Mr. Reid in litigation in state court. THE COURT: 12 Welcome. 13 MR. MARTIN: Also in the courtroom is Ms. Linda 14 Martiniano who is the named petitioner in this matter and is 15 the sister of Mr. Reid. 16 I should also state for the record again that I am 17 here with some qualifications on my appearance here. As the 18 Court knows from the previous proceeding, a member of my staff now was trial counsel for Mr. Reid in his trial in 19 20 Tennessee. 21 THE COURT: Is that Mr. Baker? 22 MR. MARTIN: Yes, sir, David Baker is still with 23 me, so that if the stay is granted and this case proceeds in 24 habeas corpus litigation, I would have -- I and my office 25 would have a conflict of interest and would not be able to

1 participate in representation.

25

I would then make a recommendation to the Court as
to who should be counsel. Mr. Olive would be included in
that recommendation along with other local counsel here to
assist in the representation. Mr. Olive is prepared to
participate in these proceedings today because of time
involved, because his exposure to the case.

I also I should note that I am somewhat limited in 8 my ability to participate because my staff is also engaged at 9 10 this moment in similar efforts to try to get stay of 11 execution for another client of our office that we 12 represented for a number of years. When I was here before, I had the assistance of Capital Habeas Unit, do not now, so I 13 14 have to rely primarily on Mr. Olive to proceed for Mr. Reid 15 and Ms. Martiniano today.

16 It is our position that the papers that were filed late yesterday do establish -- make the initial showing of 17 incompetence on behalf of Mr. Reid to make a decision whether 18 19 to litigate in post conviction or more particularly here in 20 habeas corpus. All of the records from 2003 proceeding I 21 believe are there. In addition, more current materials, 22 updated report from Dr. Woods.

23 THE COURT: Can you come up to the podium so I can24 hear you better?

MR. MARTIN: I am sorry. Yes, sir. Before the

Court are all the materials that the Court considered in
 2003.

3 In addition, we have submitted to the Court more recent materials, including psychiatric report from Dr. 4 5 George Woods who has seen Mr. Reid very recently and has submitted a report. There also are affidavits from a lawyer 6 7 and investigator in the Office of Post Conviction Defender 8 who have spent a considerable amount of time with him recently. A number of other affidavits of people who have 9 10 spent time with Mr. Woods recently. I am sorry, 2005 January 11 report from Dr. Pam Auble regarding her evaluation of Mr. 12 Reid at that time in her report. We believe and I would ask 13 the Court at this time to make those all a part of the record 14 for consideration as evidence in the determination as to 15 whether or not we have made the initial showing.

16THE COURT: They are part of the record. Do you17intend to call any witnesses?

18 MR. MARTIN: May I confer a minute with Mr.19 Olive?

THE COURT: Right now what I am trying to do is find out what the parties' intentions are, and once that's established, we'll decide how we're going to proceed.

23 MR. MARTIN: Yes, sir. Let me I guess qualify my 24 answer on this as well. We do have witnesses, but we believe 25 as we read the Sixth Circuit's opinion that the Court

1 referred to, we believe that if we establish that initial 2 preliminary showing that what that Court anticipated was a 3 full evidentiary hearing with opportunity for discovery, exchange of witnesses in advance, we know that the state has 4 5 witnesses here today. We have seen people come into the courtroom that we believe they intend to call if there is a 6 7 hearing today. We haven't interviewed those people. We 8 anticipate those people may have access to documents that may or may not be available to us. We have not had opportunity 9 10 to do any kind of discovery of those witnesses.

11 And so we believe what the Court should do today is to determine that there is evidence to establish the 12 13 threshold showing that Mr. Reid suffers from a mental disease 14 that causes him to be unable to make a rational choice, grant 15 a stay and set this matter for a full evidentiary hearing 16 before which we'd have an opportunity to do discovery in the 17 case. And then to present that the full evidentiary hearing 18 at that time. If the Court denies us that opportunity, we do 19 have witnesses that we're prepared to put on today.

20 THE COURT: Thank you. If the State could 21 identify who is all here and what your view of things is, 22 please.

MS. SMITH: Yes, Your Honor, Jennifer Smith for
the State Attorney General.

25

THE COURT: Can you come up to the podium?

MS. SMI TH: This is Alice Luster as well, Your 1 2 Honor, and Elizabeth Ryan all from the State Attorney 3 General's Office. THE COURT: Glad to have you. 4 5 MS. SMI TH: Thank you, Your Honor. THE COURT: What's your view of 6 You are welcome. 7 the posture and the course that the Court needs to follow? 8 Your Honor, our view largely coincides MS. SMI TH: 9 with what Your Honor recited. Obviously the Sixth Circuit 10 looked at the case and found that a more extensive 11 evidentiary proceeding should have occurred the last 12 The allegations and the affidavits that have been go-round. 13 presented in support of the current position I don't think 14 are substantially different from those that were presented in 15 Nor do we think they are substantially different from 2003. 16 those that were presented to the state courts prior to the 17 previous two determinations of competency to stand trial. But be that as it may, the Sixth Circuit obviously felt that 18 19 further evidentiary proceedings were warranted. 20 THE COURT: Well, let me make one point of 21 clarification. The last time we were here after all those 22 events I described occurred, Mr. Reid changed his mind and 23 initiated state proceedings which mooted out the prior case. 24 And I wanted to make sure that we all are aware of that 25 significant change as well as that this particular case

arises out of the murders in Montgomery County, and the prior
 case dealt with Davidson County issues.

3 MS. SMI TH: I understand that, Your Honor. 4 Significantly with that difference also comes the distinction 5 that there was no specific competency hearing in the Davidson County case, whereas there has been a competency hearing in 6 7 connection with the Montgomery County convictions at the time of trial, prior to trial which was affirmed by our Tennessee 8 9 Supreme Court just last year. So that is a significant 10 difference, and I think that the Supreme Court's opinion just 11 a reading of that bears out the similarities between that 12 those all egations and these.

THE COURT: Let me just ask you a preliminary
matter. Do you contest whether there has been the
preliminary showing under Harper versus Parker?

16 MS. SMI TH: Were it not for the Sixth Circuit's 17 direction in Kirkpatrick versus Bell, we would contest that. 18 That's why I began by saying that the allegations are not 19 substantially different. We think that viewing the 20 allegations before the Court now and before the state court 21 in 2000, there really is no substantial difference. Gi ven 22 that similarity and given the state court's determination on 23 that issue and the lack of any significant change that there 24 really has not been a sufficient showing to warrant an 25 evidentiary hearing. However, we do understand the Court's

1 constraints with respect to the Kirkpatrick case, and
2 notwithstanding the mooting of that I do think that has a
3 bearing so I think arguably a sufficient showing for hearing
4 has been made but would certainly maintain the position that
5 given the similarities and the evidence presented in state
6 court and what's been presented now that there really is
7 nothing significantly different.

8 We do disagree, however, with Mr. Martin's 9 contention that the Sixth Circuit's decision supports a stay 10 on the basis of a prima facie showing or a showing of 11 reasonable doubt on the issue of competence in order to 12 obtain a stay, one must file a habeas petition. And the only 13 way to get a habeas petition to a next friend is with a 14 showing both of an individual with significant interest plus 15 a finding of incompetence. And that requires more than 16 simply a showing of reasonable doubt.

17 THE COURT: Well, correct me if I am wrong, but 18 what I am hearing you say is that in light of the specific 19 direction from the Court of Appeals that the State is not 20 contesting that the preliminary showing has been made under 21 Harper versus Parker but that you are prepared to go forward 22 with an evidentiary hearing today. Or are you not?

MS. SMITH: We are prepared to go forward with an evidentiary hearing today. I am not sure I am willing to go so far as to say that we don't contest it. But I think that the Court is constrained under that decision. But in any
 event, we are prepared to go forward today and in answer to
 the Court's question about sort of the course of things --

THE COURT: Well, you either need to contest it or not contest it because I need to know what standards we're applying. So it is your choice. You are the advocate for the State, and I accept that, but you're not going to have it both ways. Going to step on one side of the line or the other.

MS. SMITH: Then I am going to step on the side of the line that contests it, Your Honor. I do think what the Supreme Court found recently in its decision yesterday denying the stay and a comparison of the allegations indicates no significant change. If that showing is not sufficient to show incompetence to stand trial, it surely is not sufficient to show incompetence for next friend purposes.

17 THE COURT: All right. Is the State of Tennessee 18 prepared to go forward to present evidence on the preliminary 19 showing issue and then if that is shown is it prepared to go 20 forward to present evidence for a full evidentiary hearing?

MS. SMITH: We are prepared to present at least one witness on the preliminary showing, Your Honor. Just a lay witness. Obviously with the timing, we have not had an opportunity to even request an evaluation of Mr. Reid, we certainly would prefer. Given the timing of it, that's not

possible under the circumstances so we are prepared to go 1 2 forward with one lay witness and some documentary evidence. 3 THE COURT: Well, again I am going to ask you to make a very clear decision which is are you ready to go 4 5 forward or not? When the State was here last time, it essentially refused to participate and then went up to the 6 7 Court of Appeals and created the inference that it hadn't had So I need to know whether you are ready to 8 time to prepare. go forward or not or whether you are asking for additional 9 10 time.

11 I didn't make this schedule. This was filed 6 o'clock last night. Everyone has been aware since at least 12 2003 that these issues were out there. So I have to take the 13 14 fact that there is an execution scheduled later today just 15 after midnight tonight and make decisions based on that. You 16 need to make a decision one way or the other. Are you ready to go forward or not? 17

18 MS. SMITH: Your Honor, as I stated, and maybe I wasn't clear, we are prepared to go forward. 19 We have 20 evidence here today. I only qualified that because like Your 21 Honor, we did not make the schedule in terms of the filing of 22 this particular petition. Didn't have a lot of control over 23 that. So we were not able to get any sort of expert 24 testimony. However, we are prepared to go forward with what 25 we are able to gather in the last 12 hours.

1 THE COURT: Okay. All right. Well, the stage is 2 Everything is contested. So Mr. Martin, burden is on set. 3 the movant. 4 MR. MARTIN: Yes, sir, we would first ask for the exclusion of witnesses in the case. 5 Under Rule 615, anybody who is here to THE COURT: 6 7 be a witness would need to step out until your time to testify. 8 9 MR. OLIVE: Could we have one moment, Your Honor, 10 just on order of witnesses? 11 THE COURT: Yes, sir. 12 (Pause in proceedings.) 13 THE COURT: Who would you like to call as your first witness? 14 15 MR. OLIVE: Dr. Woods, Your Honor. PETITIONER'S PROOF 16 GEORGE W. WOODS 17 was called, and being first duly sworn, was examined and 18 testified as follows: 19 DIRECT EXAMINATION 20 21 BY MR. OLIVE: 22 THE COURT: You may proceed. 23 0. Good morning. 24 Α. Good morning. 25 0. State your name again, please.

1 A. George Woods, W-o-o-d-s.

2 Q. Where do you live, sir?

3 A. Oakland, California.

4 Q. What is your profession?

5 A. I am a physician specializing in neuropsychiatry.

6 Q. Do you have a private practice?

7 A. Yes, I do.

8 Q. Where is that?

9 A. In Oakland, California.

10 Q. And going back to your profession, what does that mean11 exactly?

12 A. I am a psychiatrist that specializes in looking at13 neuroscience. It is really looking at neurological aspects

14 of behavior as well as psychiatric aspects of behavior.

15 Q. And what is your education?

16 A. I went to Westminster College in Salt Lake City, Utah.

17 Graduated in 1969. Majored in psychology, English and

18 history. Minored in sociology, biology.

19 I then went to the University of Utah Medical20 Center. Graduated in 1977.

21 I then did a straight medical internship at22 Alameda County General Hospital.

I then did a psychiatric fellowship at Pacific
Presbyterian Hospital in San Francisco, California, and I was
chief resident my last year.

I then did a fellowship with National Institute of 1 2 Mental Health and the American Psychiatric Association in 3 geriatric psychopharmacology which is the study of the 4 interaction of medications and the body particularly in the 5 el derly. That was the extent of my formal education. 6 T 7 continue my continuing education credits, et cetera. Q. Are you licensed? 8 I am licensed in California, yes. 9 Α. 10 Q. As medical doctor? 11 Α. That's correct. And are you certified, board certified? 12 Q. I am board certified in psychiatry. By the American 13 Α. 14 Board of Psychiatry and Neurology. 15 0 What does that mean to be board certified? 16 Α. Board certification is an elective, a choice that one can make after you finished your training. There is two 17 parts to it. There is a written test that one has to pass, 18 19 and then there is a oral examination. That is, the time that 20 I took it in 1992 that consisted of an evaluating a live 21 patient, creating a diagnosis and history. And if you pass 22 that, then you are then allowed to be designated as board 23 certified. 24 The American Board of Psychiatry and Neurology 25

control both the board for psychiatry and for neurology.

lf

you are a psychiatrist, your test is 70 percent psychiatry, 1 2 30 percent neurology. If you are a neurology, your test is 70 percent neurology, 30 percent psychiatry. 3 4 Q. All right. And you indicated to me that there was an 5 attachment submitted with the petition, Attachment 14, which purports to be two affidavits submitted by you in these 6 7 actions. I am not sure you have that number. I do. 8 Α. 9 Q. Okay. And you told me that there was a mistake in one 10 of these with respect to your background and qualifications you wanted to correct. Could you tell us what that is. 11 Yes. In the last --12 Α. 13 MR. OLIVE: Your Honor, do you have the 14 attachments? 15 THE COURT: Yes, sir. 16 THE WITNESS: In the last affidavit on page 2. The last meaning the last in time? 17 Q. 18 Α. Most recent, yes. 19 0. June 22nd is the first of the two affidavits. 20 THE COURT: I am with you. 21 THE WI TNESS: Okay. June 22nd, that's correct. 22 It notes on page two I received my board certification in 23 psychiatry and neurology in 1992. That is incorrect. 24 received my board certification in psychiatry from the 25 American Board of Psychiatry and Neurology. If you look at

the previous affidavit dated May 22nd, 2006, again on page
 22, the first paragraph it notes it correctly. I received my
 board certification in psychiatry from the American Board of
 Psychiatry and Neurology in 1992.

5 Q. So this was a typo that you didn't catch the6 typographical error?

7 A. Yes. I am sorry.

8 Q. And are you on any committees?

9 Α. I am on the scientific committee of the Yes. 10 International Academy of Law and Mental Health. I am on the 11 executive committee of the International Academy of Law and 12 Mental Health. I am on the health advisory board of the -on the advisory board of the Health Law Institute at DePaul 13 14 University. I am on the board of directors of the Center for 15 African Peace and Conflict Resolution out of South Sacramento State University in Sacramento. I think those are probably 16 17 the most relevant committees.

18 Q. And have you taught in your field?

A. Yes. From 1996 through 2000, I taught in the Department
of the Post Grad Fellowship in forensic psychiatry at the
University of California at Davis in the department of
psychiatry.

I currently teach a course at Morehouse School of
Medicine in Atlanta, Georgia on clinical aspects of forensic
psychiatry.

Q. You mentioned at the outset that you had a clinical
 practice in neuropsychiatry. Did I quote you correctly?
 A. That's correct.
 Q. Tell us, please, again in lay person's terms what

5 neuropsychiatry is.

Neuropsychiatry is -- well, let me say this. 6 Α. In the 7 last 20 to 25 years, we've come to understand that there are diseases of the brain that present with what used to be 8 9 thought of as psychiatric symptoms. And so consequently what 10 we now see is that there are diseases of the brain as well as 11 the diseases of the body that can create symptoms that 12 historically have been thought of as, quote, psychiatric.

13 For example, hyperthyroidism is a disease of the 14 body that can present as manic depression or what's now 15 called bi-polar disorder. There are other diseases of the 16 body that can present psychiatrically. And so with the changes in neuro-imaging, the ways we can look at the brain, 17 we now realize that the dichotomy, the difference between 18 19 this is body and this is mind is really no longer true and 20 that there are significant neurological diseases that are the 21 foundation of a lot of psychiatric and psychological 22 And that's really the neuropsychiatry is the symptoms. 23 recognition that there is often a neurological basis to many 24 of the psychiatric disorders that we see.

25 Q. That is at least one of your specialties; is that

1 correct?

- 2 A. That's correct.
- 3 Q. You see patients on a regular basis?
- 4 A. Yes, I do.
- 5 Q. Tell us about that.

A. I have a clinical practice. I consult with a number of
hospitals in the area on people that have head injuries that
have infectious diseases that have affected their brains. I
do psychotherapy. I do medication management.

10 Q. You see patients on a regular basis?

- 11 A. Right.
- 12 Q. Is that fair to say?
- 13 A. Yes.

14 Q. Have you reviewed -- you obviously have reviewed your
15 affidavit because you caught an error. Are you confident
16 that the rest of the background and qualifications in your
17 affidavit is accurate or correct?

18 A. Yes, I am.

19 Q. Have you testified before as an expert in

20 neuropsychiatry or qualified in any other subspecialty of

21 psychiatry?

22 A. Yes.

23 Q. How many times and in state, federal courts? Just give24 us a general description.

25 A. I have testified in both state and federal courts. I

1 qualified in neuropsychiatry. I have qualified in psychopharmacology. I have qualified in -- I have been 2 3 qualified in psychiatry. 4 Q. All right, sir. And how many times would you 5 approximate you have been admitted, gualified to express your opinion in a state or federal court? 6 7 Α. I'd say perhaps 70 times over the last 20 or 25 years. Q. Do you know Mr. Reid? 8 9 Α. Yes. 10 Q. How do you know him? 11 Α. I was asked in late 2004 to evaluate Mr. Reid, and I have interviewed him on three separate occasions. 12 13 Q. Do you recognize him sitting in the courtroom here today 14 at counsel table? 15 Α. Yes, sir. 16 Q. Do you recognize him? 17 Α. I do. Don't need to point him out, but you do recognize him? 18 Q. 19 Α. Yes. 20 Q. Were you asked to come to any forensic conclusions with respect to Mr. Reid? 21 22 Α. Yes. 23 Q. Have you come to forensic conclusions with respect to 24 Mr. Reid? 25 Α. Yes, I have.

1 0. In particular, have you come to a conclusion with respect to whether under the Rees standard which was 2 3 referenced earlier in court he is competent to proceed in these proceedings? 4 5 Α. Yes, I have. Q. Are you board certified in forensic psychiatry? 6 7 Α. No, I am not. Have you been qualified to express opinions, forensic 8 Q. 9 opinions in psychiatry? 10 Α. Yes, I have. 11 Q. Why are you not board certified? 12 I have chosen not to become board certified in forensic Α. 13 psychol ogy. 14 Q. Why is that? 15 Well, I have taught forensic psychiatry at the Α. 16 University of California, as I mentioned, and now at 17 Morehouse School of Medicine. It is my belief that the board 18 certification process for forensic psychiatry does not 19 include adequate clinical foundation and to really make it a 20 specific subspecialty, and so I have chosen not to take those 21 boards. 22 MR. OLIVE: Your Honor, before I get into the 23 opinions of the witness that the witness has come to, I would 24 proffer him as an expert in the field of neuropsychology 25 qualified to form and express opinions in this court with

1 respect to that subspecial ty. 2 THE COURT: Any objection? 3 MS. SMI TH: No, Your Honor. THE COURT: He can give his opinion. 4 5 MR. OLIVE: Thank you, Your Honor. THE WITNESS: Thank you, Your Honor. 6 7 THE COURT: You are welcome. Q. 8 Have you diagnosed Mr. Reid? 9 Α. Yes. 10 Q. What is your diagnosis? 11 My diagnosis is is that Mr. Reid suffers from a left Α. temporal lobe dysfunction with resultant schizophrenia form, 12 13 schi zophreni a-li ke psychosi s. You know I am going to ask, don't you? What does that 14 Q. 15 mean? 16 Mr. Reid has a history of psychotic behavior. He Α. Sure. also has a history of learning disabilities, specifically 17 spelling disabilities, reading disabilities. He also has 18 19 history of language impairments what we call expressive 20 language versus -- and receptive language. Expressi ve 21 language impairments are when someone cannot express language 22 effectively. It is not that they can't make themselves 23 understood, but they may use unusual words, what we call 24 neologism. They may use unusual sentence structure. 25 Receptive language is when someone doesn't hear

I anguage effectively. You can have two -- these are all
 called aphasic syndromes. So you can have receptor aphasia;
 you don't hear things accurately. And expressive aphasia;
 you don't express things accurately. And Mr. Reid has been
 evaluated by a speech pathologist and found to have both
 expressive and receptive aphasia.

He has also been found to have motor impairments.
And when I say motor, he is less strong on his right side.
His right hand is less strong than his left hand. Your right
hand is really controlled by the left side of your brain.

11 And so what we see are a number of factors that 12 point to potential left-sided, left hemisphere impairments in 13 Mr. Reid. As it turns out in this particular case, we also 14 have pictures what we call neuro-imaging that reflect that 15 type of impairment. And you can actually if you were to just 16 look at Mr. Reid carefully, look at Mr. Reid carefully you 17 can see that there is what we call dysmorphic. There are dysmorphic changes in Mr. Reid's skull. The left side of his 18 19 brain right over what we call the temporal lobe is clearly 20 impacted. It is clearly dented. And there is no history of 21 him having any type of trauma to the left side of his skull, 22 rather it was early when he was 13 or 14 to the right side of 23 his skull.

24 We also have neuro-imaging of his brain, magnetic 25 resonance imaging which is a picture of how the brain is

1 structured. And a picture of how that brain is structured 2 again shows that the left temporal lobe of his brain, the left temporal lobe of his brain, the part of the brain that 3 4 actually comes down on both sides and kind of right about 5 here kind of curves up and under right about here. Okay. You are for the record -- looked like you were 6 Q. 7 looking for a flip chart. But for the record, you are 8 putting your hand on either side of your forehead behind your 9 eyes? 10 Α. Right by the ears coming down right by the ears is where 11 the temporal lobes curve up under and kind of the brain kind of -- the rest of the brain kind of sits on the temporal 12 13 lobes. What we see in the magnetic resonance imaging 14 structurally is that Mr. Reid's brain is impaired. That his 15 brain structure is impaired. 16 And there is really no -- there is a consensus 17 that the magnetic resonance imaging shows impaired left 18 temporal lobe. What we also have --19 0. Impaired means physically, not functionally. You can 20 see a physical structural impairment? 21 Α. Mr. Reid's brain is structurally impaired. 22 It is an organ that is not formed the way it should be Q. 23 formed; is that accurate? 24 Α. That's exactly correct. Besides the structural 25 impairment, there is in fact a functional impairment. And

what functional impairment means is there is testing that has 1 2 been done by Dr. Kessler here at Vanderbilt University that 3 shows that the -- and the testing is called, big words, 4 positron emission tomography or a PET scan. 5 0. There is an Attachment 10 submitted in the appendix says PET scan images of Paul Reid; is that what you are referring 6 7 to? Α. Yes. 8 And you are familiar with that? 9 Q.

- 10 A. Yes.
- 11 Q. Go ahead.

That's report of Dr. Kessler's. So the positron 12 Α. emission tomography, the PET scan, is designed to show how 13 14 the brain functions as opposed to how the brain is 15 structured. And what Dr. Kessler's testing reflected was 16 that on the PET scan shows a decreased functioning in that 17 left temporal lobe. In the same area that we see structural 18 damage, we see functional damage as well. That part of the 19 brain just doesn't work as well.

Now, what we then have is a structural part of the brain that is clearly malformed. We have imaging that says that that part of -- that same part of the brain does not function very well. We have examples from academic, his academic career showing problems with spelling, problems with naming, which is also a function of the left temporal lobe.

1 Problems with memory, which is also a function of the left 2 temporal lobe. Problems with right-sided arm motor strength, 3 which is also a function of the left temporal lobe. 4 So we see a number of factors that impact, that 5 reflect that left temporal lobe is not working effectively. Let me refer you to Attachment 7 in the appendix which 6 Q. 7 is a chart of various diagnoses beginning in 1964. You may 8 not have a copy. 9 MR. OLIVE: If I can approach the witness. 10 THE COURT: Yes. 11 MR. OLIVE: I think His Honor has one. MS. SMI TH: 12 Is that a particular attachment? 13 MR. OLIVE: Seven. 14 MS. SMI TH: Thank you. 15 You indicated in your answer that there had been a 0. 16 history of problems or diagnoses in the case? 17 Α. Yes. And I wondered if you could look at this chart and tell 18 Q. me if you are familiar actually of what the background 19 20 documents that support these items? 21 I am -- well, let me look for a moment. I am familiar Α. 22 with the background documents that support these findings. 23 These are -- yes. 24 Q. All right. I don't have a copy of that with me. I gave 25 you my copy, but I am generally familiar with it. Starting

in 1964 and continuing on until whatever the last date is on 1 2 the chart are a series of diagnoses and prescribed 3 medications on several occasions; is that correct? Α. That's correct. 4 5 0. Does this chart or do these documents that you reviewed that are summarized in this chart form any basis or part of 6 7 the basis of your opinions in this case? 8 Α. Yes, they do. 9 Q. How did it help you in this case? What we see, and may I say that this particular chart is 10 Α. 11 a reflection of really a summary of the medical records of 12 Mr. Reid from the age of seven through 2000. These are summaries of medical records from childhood evaluations at 13 14 the Blue Bird Clinic, even above that. It is a summary of 15 records from the Rusk State Hospital in Texas. Summary of records of the Texas Department of Correction. 16 lt is a 17 summary of records from the Harris County Psychiatric Facility. 18 19 And then toward the end in from 1997 through 2000 20 what we have is a summary of neuropsychological testing that 21 was done by Dr. Pam Auble as well as Dr. Danny Martel. We

have a report of Dr. Helen Mayburg when she was at the
University of Toronto. She is now at Emery. A report of Dr.
Helen Mayburg. We have findings of Dr. Caruso in 2003. We
have examinations by Dr. Xavier Amador, so those are really

1 from 1997 to 2000.

2	Prior to 1997, however, what we really see are a		
3	series of records that start at the age of seven which was		
4	when Mr. Reid first came to school. He was did not go to		
5	elementary school. First came to school at the age of seven		
6	Did not I am sorry, did not go to kindergarten. First		
7	came to school at the age of seven in the first grade, and		
8	Dr. Yates at that time noted, who was a psychologist, noted		
9	the possibility of organic difficulties.		
10	Q. What does that mean? Is that the same thing you have		
11	been could it be the same thing you are talking about		
12	organic difficulties meaning damage to an organ or an organ		
13	that's structurally unsound?		
14	A. Yes. What we see with Dr. Yates did a Bender-Gestalt, a		
15	screening instrument that is used to look at when I say		
16	screening, it is an instrument that is used to look to see if		
17	there is a possibility of neurological problems. In this		
18	particular case, organic really reflects neurology. It can		
19	reflect any medical problem, but in this case it really		
20	reflects neurology. And it notes that even at the age of		
21	seven, according to the Bender-Gestalt there was some		
22	difficulties, and those difficulties impaired Mr. Reid's		
23	ability to function at age level.		
24	Q. In your patients, do you frequently get a Bender-Gestalt		
25	from someone given at age seven let me say it differently.		

Is this a unique finding, a consistent finding, is it unusual 1 2 or normal? 3 This is an unusual Α. That's an interesting question. 4 finding for someone this young. 5 0. That's what my point was. And this is particularly unusual finding for 6 Α. Right. 7 someone this young because someone that is seven years old, their brain is still forming in multiple ways. 8 The 9 Bender-Gestalt is what we call a growth instrument. ltis 10 not a very sophisticated test, and to find impairment on such 11 a gross instrument at such a young age really speaks to 12 something that perhaps may be more congenital, may have 13 occurred earlier rather than to trauma. 14 Q. Other than a head injury during childhood or adulthood? 15 Α. That's correct. 16 Q. So from birth? 17 Α. Probably, yes. You are familiar with head injuries later? 18 Q. 19 Α. Yes. 20 Q. At page seven there apparently was the suspicion at 21 least, or is it a finding of brain damage? 22 Α. Well, certainly by the next two years there was in fact 23 a finding of brain impairment. I think it is important to 24 note, Mr. Olive, that at this time in 1966 there wasn't the 25 ability to look at CT scans or MRIs, other types of testing

2 was really inferred from the kind of testing they could do. In other words, they would test how the brain was 3 Q. working? 4 5 Α. Exactly. Q. And then if it didn't appear to be working correctly, 6 7 they would diagnose brain damage? That's exactly right. 8 Α. 9 Q. So that test you are referring to is a pen and pencil 10 test or pencil and paper test? 11 It is a pencil and paper test where one draws certain Α. 12 figures. One is asked to duplicate certain figures. And it 13 is a fairly, as I said, it is a fairly simple test. It is 14 normed for children, so they should be able to do pretty well 15 on it. 16 This chart that goes on for several pages, I asked did Q. 17 it form any of the basis of your opinion, and you said yes. It appears to have multiple diagnoses over multiple decades 18 19 of mental illness. Not to lead you, but is that accurate or 20 inaccurate? 21 Α. That's correct. 22 Do those diagnoses and those treatments over those years Q. 23 reflect consistency with your diagnosis, inconsistency, are 24 they congruent or what? 25 Α. The symptoms that Mr. Reid is treated for are consistent

in the way that we are able to look at now. So brain damage

1 with my diagnosis.

2 Q. And those symptoms are?

3 A. Delusions. Organized psychotic thinking. Difficulty
4 with language. A lot of writing. Incorporating people into
5 those delusions.

Difficulty with language. Difficulty with memory.
Difficulty with reading and spelling. But the most
consistent of course is the delusional concept.

9 Q. All right. And what does that have to do with left
10 temporal lobe dysfunction which is at least in your most
11 recent report Attachment 14 at paragraph 8 is what you have
12 concluded Mr. Reid suffers from a neurological disorder, left
13 temporal lobe dysfunction?

14 Left temporal lobe dysfunction has an extremely high Α. 15 incidence of psychotic behavior. The probably the world's 16 expert in this is Lishman from England. In his book, The Cerebral Consequences of Organic Psychiatry, he talks about 17 18 left temporal lobe syndrome and the kinds of difficulties 19 that you can. Not only when there is perhaps a seizure 20 disorder but when there are problems with the left temporal 21 What you develop are -- what you can develop are l obe. 22 psychosis, organized psychoses, psychoses that can be either 23 bizarre or nonbizarre. Psychoses that typically occur late 24 at adolescence through your twenties, they start at that 25 They don't start right off the bat. It often takes point.

15 or 20 years before they develop, before they become
 organized. As time goes by, they become increasingly
 organized.

4 And the relationship between what's called 5 schizophrenia form psychosis and left temporal lobe impairment is extremely well known. What we have in this 6 7 particular case is someone that not only has functional impairment as judged by the positron emission tomography, the 8 9 PET scans, but someone that has the structural impairment as 10 well. PET scans are only clinically indicated -- see if I 11 can remember this -- only clinically indicated in four areas: 12 stroke, dementia, obsessive compulsive disorder, and 13 dysfunction of the left temporal lobe. So there really are 14 no other areas in which you would really rely upon a PET scan 15 in order to help define or very few in order to help define 16 your diagnosis. As it turns out, this is one of them. 17 Q. You may have done this and I missed it, but how is it 18 that damage to the left temporal lobe, a lay person like 19 myself would think, all right, you would do poorly on an IQ 20 test or you would do poorly driving a car or you would not 21 function properly in terms of those social skills. But you 22 are saying delusions result from such damage, and that's what 23 I don't understand.

A. The first step is paranoia. Paranoia results from thisdamage because as the temporal lobes -- as the brain

1 develops, the temporal lobes are the throughway from the 2 frontal lobe which is called the really the seat of executive 3 functioning, ability to sequence one's thoughts, ability to 4 sequence one's behavior, ability to move from one task to the 5 other to structures further in the back, the amygdala, for example, which is one of the seats of emotions. 6 And the 7 temporal lobes are the only parts of the brain that can -that hold all different components of the brain: 8 gray 9 matter, white matter. The temporal lobe is really the only 10 part of the brain it is kind of like the throughway so things 11 have to go from the frontal lobe, which kind of organizes 12 things, kind of makes you understand how things work. The 13 frontal lobes are that part of the brain that tells you that 14 those five thousand trees are a forest. The amygdala, the 15 back part of the brain, is a part of the brain that says that 16 is a twig and not a snake, that allows you to organize your 17 brain so that when you look at something, you understand what it is. You can put it in emotional perspective as well as 18 19 intellectual perspective.

If the temporal lobes are not working correctly, if the temporal lobes somehow disrupt that relationship between the frontal lobes and the amygdala, delusions can occur because you don't understand. And I don't mean completely, but it is difficult to understand how things work. If I can give you an example.

1 0. Is it fair to say that a person with such damage could 2 experience external stimuli different than someone without 3 such damage? Α. Exactly. 4 5 0. So your perception of what's going on may be different than nondamaged brain? 6 7 Your perception is different, Mr. Olive, but not only is Α. your perception different; your ability to process that 8 9 perception accurately is different. So they are both 10 impaired. 11 Q. And that seems to be closely related? That's correct. 12 Α. 13 Q. Those two items. 14 Have you read the diagnoses of doctors not just 15 from '64 forward but those that you have read about since the 16 time this case began? 17 Α. Yes. For example, Dr. Amador and Dr. Martel, other doctors 18 Q. involved in the case? 19 20 Α. Yes. 21 Q. Have you had an occasion to consult with Xavier Amador in this case? 22 23 Α. Yes, I have. 24 Q. Are you familiar with the fact that he testified before 25 this court in 2003?

1 A. Yes, I am.

2 Q. Have you read that testimony?

3 A. Yes, I have.

4 Q. Have you read other of his testimony in these

5 proceedi ngs?

- 6 A. Yes, I have.
- 7 Q. And his reports?
- 8 A. Yes.

9 Q. And those would be reports included in the background

10 packet that was filed with the petition?

- 11 A. That's correct.
- 12 Q. Did you confer with Dr. Amador yesterday?
- 13 A. Yes, I did.

25

14 Q. Who is Dr. Amador professionally? You have already 15 identified him as an expert, as a witness in these cases, but 16 what is his profession, and why did you confer with him? 17 Α. Dr. Xavier Amador is a clinical psychologist that is a -- was a professor at Columbia University. Was on the 18 19 board of directors of the National Institute of Mental 20 Illness and is well known in his field as a schizophrenia 21 researcher.

22 MR. OLIVE: Your Honor, if I could have an exhibit 23 marked, or I would mark them myself if that's the correct 24 protocol.

THE COURT: We'll mark them.

1 MR. OLIVE: And I have an extra for Your Honor as If you want to wait until it is admitted, I will wait. 2 well. 3 Otherwise --4 THE COURT: You can pass it up. 5 Q. You have before you an exhibit which I will indiscriminately mark as Exhibit 1 in these proceedings, 6 7 imaginatively enough. Have you seen this affidavit before, sir? 8 9 Α. Yes, I have. 10 Q. When have you seen it? 11 Α. Yesterday evening. I had the opportunity to review it. 12 Q. Have you spoken with Dr. Amador? 13 Α. Yes. 14 Q. About this case? 15 Α. Yes. 16 Q. Are you familiar with his earlier diagnoses in this 17 case? Yes. 18 Α. 19 0. What were they? 20 Α. Dr. Amador had several. He made the diagnosis of 21 schi zophrenia, chronic paranoid type. He made the diagnosis 22 of cognitive disorder, not otherwise specified. He made the 23 diagnosis of psychotic disorder secondary to a general 24 medical condition. He made the diagnosis couple more that 25 also related to psychotic disorders.

0. Is his previous diagnosis of schizophrenia consistent or 1 2 inconsistent with the diagnosis that you have given here 3 about the temporal lobe? Α. Certainly Dr. Amador's description of the symptoms of 4 5 psychosis and the symptoms of paranoia are consistent with my diagnosis of temporal lobe dysfunction. The psychosis that 6 7 occurs in temporal lobe dysfunction is described as a 8 schi zophreni a-li ke psychosi s because you can have paranoi a. 9 You can have what are called ideas of reference believing 10 that people have certain roles, believing that people are 11 saying things about you. You can have many of the symptoms 12 that one sees in schizophrenia. So in that sense, our

13 di agnoses are consistent.

14 Q. Have you reviewed this document, Exhibit 1?

15 A. Yes, I have.

23

16 Q. Have you relied upon it to any degree or consulted with17 him with respect to the matters in it?

18 A. I have consulted with him, and I have reviewed it, yes.
19 Q. Paragraph 18. He says, After consultation with you, I
20 am of the opinion within a reasonable degree of professional
21 certainty that Mr. Reid has a psychotic disorder with
22 delusions due to a general medical condition.

- Is that your diagnosis?
- A. That's consistent with temporal lobe dysfunction, yes.
- 25 Q. Are you familiar with whether this was a diagnosis that

1 he provisionally gave in an earlier declaration? 2 This was a provisional diagnosis of Dr. Amador. Α. 3 Q. What is a provisional diagnosis? 4 Α. Is a diagnosis where it is strongly felt by the 5 clinician that that diagnosis should be included, but the clinician may feel as though they could not have sufficient 6 7 evidence at that time to formally make that diagnosis. If this diagnosis to be accepted, he has made that 8 Q. 9 diagnosis; is that apt? 10 Α. That's correct. 11 Q. It would be hearsay, but was it your understanding that 12 he spoke with Mr. Reid yesterday? 13 Α. Yes. 14 Q. And when was the last time -- that is, Dr. Amador spoke 15 with Mr. Reid? 16 Α. That's correct. When was the last time you spoke with Mr. Reid? 17 Q. 18 Α. last week. 19 0. That's fine. It is reflected in your report. And the 20 information that you received from Dr. Amador with respect to 21 these conversations, is that the type of information that 22 experts in your field would normally and regularly rely upon in forming and expressing opinions? 23 24 Α. Yes. 25 And the information in this affidavit, similarly the 0.

1 same question?

2 A. That's correct.

3 MR. OLIVE: Your Honor, I would move this4 affidavit into evidence at this time.

5 MS. SMITH: Your Honor, we object to the admission 6 of the affidavit on hearsay grounds except to the extent that 7 it forms the basis of the witness's testimony. But to the 8 extent that it is being offered as a substantive opinion as 9 to Mr. Reid's competence, we object.

10THE COURT:I am going to allow it into evidence11as something that Dr. Woods relied upon.

MR. OLIVE: Thank you, Your Honor.

Q. Dr. Woods, you have given us a diagnosis and given us
really sort of hypothetically in some circumstances how that
diagnosis could produce delusions, hallucinations, thought
disorders, et cetera. Have those things manifested in Mr.
Reid's life?

18 A. Yes.

12

Q. Could you describe for us what those manifestations have
been most recent if you would. Don't have to go back. To
what's most critical at this time.

A. Sure. Mr. Reid has expressed a long-standing paranoid,
bizarre in my opinion, delusion that he is controlled and
everyone that is associated with him is controlled by
scientific technology. Scientific technology is a group that

he believes is part of the government that starting in 1985 1 2 began to videotape and audiotape his every movement. And I 3 mean literally 24/7. Scientific technology has provided 4 scripts to his attorneys. Scientific technology has provided 5 scripts, transcripts of his life to judges in his first Scientific technology has provided transcripts of 6 tri al s. his life to other inmates and have controlled every waking 7 movement and has tortured him since 198-- since at least 8 9 1985.

Mr. Reid notes that scientific technology has the ability to impair his reading. It has the ability to rewrite things that he has written. Scientific technology coaches other inmates. Coaches his legal team, so that they will follow in this overarching and overwhelming scientific experiment.

Mr. Reid's belief, in my opinion delusional belief, that scientific technology controls so much of his life that he has foregone any activity related to his trial and his legal proceedings. As he said to me, I haven't spent five minutes on any legal proceedings. Most of Mr. Reid's time has been involved in trying to expose scientific technology.

23 Q. To whom?

A. Well, initially to his legal team. But as one becomesinvolved with Mr. Reid, they often become, in his opinion,

part of that scientific technology, since everyone is being
coached, myself included. Anyone that's visited him, anyone
on his legal team, the other inmates, the other correctional
officers, they are all part of this delusional constellation.
Q. So people that he meets in the ordinary course of his
daily affairs become part of the delusion?

7 Α. That's correct. In some way or another, they become 8 part of the -- they may give him certain signals that let him 9 know that they are part of it. They -- and what happens is 10 that his delusion really is informed by his neurological 11 deficit, for example. Mr. Reid has impairments in what's 12 called episodic memory. This is a memory that allows you to 13 recall a story, recall a short story, perhaps recall what you 14 had for dinner last night. Mr. Reid may be able to recall 15 that event, but his ability to sequence the facts of that event are often impaired. 16

17 Mr. Reid believes, for example, that things that 18 his attorneys have said to him or things that have occurred 19 at previous times in his life repeat themselves. And that in 20 fact his attorneys have said -- are saying the exact same 21 thing to him that they said a year ago, for example. He gave 22 me a description of in his first trial the judge in his trial 23 and the district attorney said certain phrases that had to be 24 part of a transcript they were given of his life in 1986 25 because this was something that happened in private and no

And obviously the only way that they could 1 one knew that. 2 have known that was for them to have been given his 3 transcript by scientific technology. 4 So what you see is the neurological impairments of 5 memory, of sequencing, of mental flexibility intertwined and in fact create and inform the delusional material. 6 7 0. What is the progress of this disease or damage? Does it improve, does it get worse, and have either occurred in this 8 9 case, to your knowl edge? 10 Α. Most neurological impairment that is congenital as 11 opposed to acquired deteriorates over time. 12 Gets worse? Q. 13 Α. Gets worse. And gets worse at a more rapid rate. For 14 example, children that are born with Down's Syndrome, for 15 example, Trisomy 21, will develop dementia often at a much 16 faster rate. Will in fact become demented at times in their 17 late thirties, early forties. So you see that congenital 18 impairments of the brain tend to get worse over time, and Mr. 19 Reid described to me a real change in these last six years 20 with him where his memory, his ability to read has become 21 impaired. He often feels as though scientific technology 22 precludes him from being able to write effectively. He 23 described it there are times when his thinking, the word that 24 he used, was more incoherent than it had been. So he 25 certainly sees a decrease in these last six years.

Q. Referring again to Exhibit 1, Dr. Amador's affidavit,
 paragraph 25. I am sorry. Yes, paragraph 25 at the next
 page after number 25, so it is five lines up 26. During my
 one-hour conversation with him today, he became paranoid
 about me and accused me of being manipulated by ST.

6 Then it goes on and says, This is the first time I 7 have been incorporated into his delusions in this manner.

Did you speak to Dr. Amador about that?

9 A. Yes, I did.

8

10 Q. Does this indicate to you anything about the progress or11 progression of the illness?

Well, there are two parts to it. Let me rephrase 12 Yes. Α. Certainly in any paranoid delusion, particularly those 13 that. 14 that are caused either by schizophrenia or by any organic 15 paranoid delusion, people become involved and included in 16 those paranoid delusions as time goes by. So it is not 17 unusual that Dr. Amador, given his contact with Mr. Reid, 18 would become involved in that paranoid delusion.

But the sequence in which that occurs should be clear as well. And the sequence is you become involved in the delusion, and then you become told that you are involved in the delusion. So the fact that there is two things that are important here, not only that Dr. Amador is now involved in the delusion, but that Mr. Reid felt it important that Dr. Amador know that he was in fact involved in Mr. Reid's 1 paranoi d del usi on.

2	Q. He goes on in paragraph 28 to state, Today Mr. Reid's
3	speech was pressured. His thought processes were disordered,
4	and several delusions, hallucinations were readily apparent.
5	His mood fluctuated from warmth and affection to anger and
6	paranoia. In the time that I have known him, I have never
7	found him to be this ill and out of touch with reality.
8	Did you speak with Dr. Amador about that, and did
9	you rely upon this information?
10	A. Yes, I did.
11	Q. Would this indicate that he is better or worse off than
12	in 2003, for example?
13	A. He is certainly symptomatic in ways that we have not
14	seen since 2003. These are the types of symptoms that really
15	created multiple diagnoses for Mr. Reid. The idea that his
16	mood is fluctuating certainly would speak to the 2003
17	evaluation of Dr
18	Q. Amador?
19	A. Blocking on his name. Caruso.
20	Q. Okay.
21	A. Dr. Caruso.
22	Q. That's in the appendix also, and you relied upon that?
23	A. That's correct. Who diagnosed him as schizoaffective
24	disorder, which you have to have kind of this mood
25	fluctuation. Mr. Reid has also been on Lithium in the past,

which again you have to have these kind of mood fluctuations,
 so it really speaks to a level of both emotional as well as
 cognitive deterioration.

4 Q. You refer in your affidavit the most recent one at 5 paragraph 16 to Mr. Reid's writings. And that they are reflective of his inability to understand his legal position, 6 7 et cetera. Could you tell us a little bit about that. Mr. Reid has a symptom of temporal lobe impairment 8 Α. 9 that's called hypergraphia. And hypergraphia is just what it 10 says, a lot of writing, hyper graphic. And Mr. Reid writes 11 fairly often. He writes a lot. It is his belief that he is 12 able to remember long conversations verbatim and to go back to his cell and write those conversations word for word, 13 14 except for the impairment of scientific technology from time 15 to time.

16 The writings that I have seen of Mr. Reid, and I have not had the opportunity to read the entire tome is 17 18 about 800 pages long. There are other series of letters, et 19 But what I have read, and as Mr. Reid described to cetera. 20 me, very little of this writing reflects anything about his 21 court case. In fact, the great majority of this writing 22 reflects his ability or his desire to expose scientific 23 technology and to allow the world to know that he is the last 24 or the latest of a series of scientific technology 25 experiments that include President George Bush, Senior

47

fainting in Japan, President George Bush, Junior choking on a 1 2 pretzel in the White House, the fatal airplane accident of John F. Kennedy, Junior and others, the development of 3 Al zheimer's by President Reagan. 4 5 When you look at Mr. Reid's writings, they are all they are consistently about the impact that scientific 6 7 technology has had on him and on his life. And the other part of his writings are about his 8 history, his life in Texas. It is extraordinarily inaccurate 9 10 from the facts that I have available to me. So what's 11 missing, and Mr. Reid acknowledges to me what's missing, is an ability to focus on his case, an ability to utilize his 12 13 attorneys to further his legal position. 14 Q. Let me turn to that standard under Rees versus Payton. 15 Is Mr. Reid suffering from a mental disease or defect? 16 Α. Yes. 17 Q. And you have given us that diagnosis? That's correct. 18 Α. Does that disease or defect prevent him from 19 0. 20 understanding his legal position and the options available to him? 21

- 22 A. Yes.
- 23 Q. How so?

A. Mr. Reid believes that his legal team -- well, there area number of ways. First of all, as relates to his team. Mr.

Reid believes that his legal team, his entire legal team is 1 2 totally under the control of scientific technology. The last time that I saw Mr. Reid last week, I went in with one of the 3 investigators, Ms. Westfall, and one of his lawyers, Ms. 4 5 And Mr. Reid started the conversation out with -- I Gl eason. am sorry, that's not accurate. With Ms. Westfall and Mr. 6 7 Hare. And Mr. Reid started the conversation out by singling out Ms. Westfall and saying that he wanted to apologize to 8 9 Apparently he had said some difficult things to her her. 10 earlier in a previous conversation. And when I saw him, he 11 wanted to apologize because he said he realized that she 12 really did not have control over the series of events that occurred because of course she was under the control of 13 14 scientific technology, just like all of us are. 15 As Mr. Reid and I talked, he would -- and he was

16 very talkative. But from time to time, he would make it
17 clear to me that, I realize that you are being coached, and I
18 realize that you are under the control of scientific
19 technology. They have told you what to --

20 Q. Referring you, you are the you?

A. Right. I am the -- right. I don't know how to say,
yes. And that he recognized that I was really under the
domination, under the direction of scientific technology.

I asked Mr. Reid how much time he had spent in theI aw library and how much time he had spent just researching

his case, and his direct answer was, I haven't spent five 1 2 minutes in the law library. And it is interesting because in 3 looking through the testimony from the 2003 hearing, similar 4 questions were asked of Mr. Reid. And if one goes back and looks at Mr. Reid's testimony, you will see that when those 5 questions were asked, Mr. Reid did not directly answer them. 6 7 He did not say how much time he had spent. He did not say Looking for that material. 8 what he had done.

9 And in fact, in the only instance that I am aware 10 of in which Mr. Reid did look at the 2003 hearing, what he 11 pulled out of it was that Mr. Olive had used a word and had 12 used the word in the plural rather than in the singular. And 13 by using the word in the plural, it was an indication that 14 Mr. Olive knew that the scientific technology was in fact 15 controlling the entire circumstance.

16 So this is really -- these are the ways in which 17 Mr. Reid believes that scientific technology controls the 18 entirely legal landscape.

Q. And another question. If you said he suffers from
mental disease or defect, does that prevent him from making a
rational choice among his options?

22 A. Yes.

23 Q. How so?

A. Mr. Reid's choices are driven by paranoid psychosis.

25 Mr. Reid's choices -- for example, Mr. Reid's belief that his

50

attorneys are in collusion with scientific technology and, 1 2 therefore, are not willing to explore legal avenues that he 3 thinks are relevant, that his attorneys are not willing to 4 file specific motions that he thinks are important, in spite 5 of the fact that there is ample evidence that his attorneys have both filed motions and have been actively participating 6 7 or attempting to participate in his defense. There is no evidence that Mr. Reid has, as he told me this, there was no 8 evidence that Mr. Reid has read any of those motions. 9 There 10 is no evidence that Mr. Reid has referred to any of those 11 That every time that Mr. Reid has come out on the motions. three occasions that I have seen him, the material that he 12 has available has never been legal material. It has always 13 14 been his own personal writings.

And I have had the opportunity, as I have noted,
he has allowed me the opportunity to read many of those
writings, not by a long shot all of them.

18 Q. Do those contain the scientific technology delusions? 19 That's correct. It is interesting because one of the Α. 20 things that Mr. Reid does is that he keeps a thesaurus and a 21 dictionary. He has a list of words that he says he doesn't 22 And in a very, I think, admirable way, he tries to know. 23 list the words that he doesn't know and go to his dictionary 24 and go to his thesaurus to look them up. If you look through 25 that list, there are very, very few legal terms. They really refer to a number of other things, travel or science, for
 example.

3 Mr. Reid talks about the Russian experiments were done in the thirties looking at low frequency radio emissions 4 5 that made people sick. He's looked at other experiments that he believes made people sick in Oregon. He talks about these 6 7 informations. But I asked him specifically, Have you spent time in the library? He said no. Have you reviewed law 8 9 materials? He said no. In fact, what he told me was that he 10 gets legal information about his case from the television. 11 And as I already noted, that he hasn't spent five minutes looking at his case because he believes it is all under the 12 13 control of his paranoid delusion, scientific technology. 14 Q. Well, does he believe he has a paranoid delusion? I 15 think you may have misspoken there. It is under -- he has 16 paid no attention to his case because it is beyond his 17 control based on his delusion; is that?

18 A. Yes.

19 0. Does he want to appear competent or incompetent? He wants to appear competent. He believes that this is 20 Α. 21 He believes that scientific technology is absolutely true. 22 And he believes that scientific -- and he will say true. 23 things like scientific technology talk to his mother and 24 father and actually showed them videotapes as well as 25 transcripts to show them that this was true, this were true.

He also recognizes that other people see this is 1 2 very odd and don't believe it. And this is an important 3 point because this is really when you are looking at trying 4 to discriminate between schizophrenia and schizophrenia form 5 psychosis, the kinds of psychoses that occur in neurologically derived illnesses, this is exactly what you 6 7 often see is that the delusions are somewhat encapsulated. Encapsulated means that it is kind of 8 That is a poor word. locked in, it only covers a small area. That's really not 9 10 accurate. Mr. Reid can kind of function around it. 11 But he also notes that scientific technology 12 affects his, eating, it affects when he wakes up, when he 13 goes to sleep, and so it really is pervasive, really covers a 14 lot of bases. However, as it relates to his legal system, it 15 is his belief that it controls all aspects of his life and 16 his legal system. Why isn't this just all made up? 17 Q. 18 Well, there have been some indications in the late Α. 19 seventies, 1978 perhaps, 1979 that there were mental health 20 providers that believed Mr. Reid made up psychiatric 21 However, what we have here is an internal symptoms. 22 Mr. Reid would have to be a neuroanatomist, so consistency. 23 he would have to know that not only is his would spelling be 24 a part of temporal lobe problems, not only would language be 25 a part of temporal lobe problems, not only would reading be a part of temporal lobe problems, not only would having a
 weaker right hand be a part of left temporal lobe problems
 because what happens in the brain is that right at the edge
 of the temporal lobe right here, there is a called the motor
 strip. And this part of the brain right here, this motor
 strip controls the right.

- 7 Q. Pointing to the top of your above your left ear?
- 8 A. I am sorry. Right.

9 Q. Coming down the side of your head?

10 Α. Down right the ear. That strip right there. The motor 11 strip controls the right side of the body. There is a motor strip on the left side that controls the right side of the 12 13 body. So Mr. Reid to have right-sided dominant hand weakness 14 says that there is a problem in the left side of his brain. 15 We have pictures of that. Consequently, to be able to 16 manufacture not just the psychosis but each and every 17 neurological impairment, structural impairment, functional 18 impairment, educational impairment, academic impairment would 19 be quite a feat.

20 Q. So you don't think he is making it up?

21 A. Not making it up.

22 Q. Or he is not malingering is the term of art in your23 field?

24 A. That's correct.

25 Q. You are confident of that?

1 A. Yes, I am.

2 Q. Do you have an opinion with respect to whether Mr. Reid3 has a rational understanding of why he is to be executed?

- 4 A. He -- yes, I do.
- 5 Q. All right. And it is?

A. Mr. Reid believes that he is to be executed because
scientific technology cannot afford to be exposed. And they
are more than willing to sacrifice him to keep from being
exposed.

10 Q. Does he think he is poised to expose scientific11 technology?

He does. He is about 700 pages into it. He believes 12 Α. that there is a lot of editing that needs to be done. 13 lf you 14 have actually seen his writing, you will see that there are 15 multiple pages that are rewritten. That are pages that are 16 glued to other pages. But he feels as though, what he tells 17 me, is that one of the results of his death is to expose scientific technology to be the next in line of the Reagans 18 and Bushes and Kennedys that have been affected by scientific 19 20 technology. And that his death would further expose or make 21 people wonder, wow, was Paul Reid right all along, and was 22 there in fact scientific technology.

23 Q. Does he also claim innocence in that he will expose his24 innocence by exposing scientific technology?

25 A. Yes.

1 0. Well, if he is on the verge of exposing scientific 2 technology, why would he not continue his appeal because then 3 he would expose them? 4 Α. He believes that scientific technology has control of 5 the entire legal process. And so he really has no ability in that sense. 6 He is not -- is he using logic or not using logic? 7 0. 8 Α. It is not a logical precept. 9 Q. Let me go through some items and ask you whether you 10 relied upon them in coming to your opinions in this case, 11 si r. 12 And they are contained in the appendix. I don't 13 think you have if I could -- you don't have this document 14 this says Appendix Index at the top? 15 Α. I don't think so. 16 Q. But many of the items that are in this index are items I 17 believe that you have reviewed. 18 MR. OLIVE: If I could approach the witness, Your 19 Honor, and stand next to him and show him this as I go 20 through it. THE COURT: 21 Yes. 22 MR. OLIVE: Thank you. 23 Q. Actually why don't you just recite the ones that you 24 have reviewed with the numbers that are there. 25 Α. Attachment 2, psychiatric evaluation and Sure.

curriculum vitae of Keith A. Caruso, M.D. 1 2 Attachment 3, declaration and curriculum vitae of 3 Xavier Amador. 4 Attachment 5, letter from Pamela Auble, Ph.D. 5 Attachment 6, handwritten letter to court clerk from Paul D. Reid, Junior. 6 7 Attachment 7, chart entitled Paul Reid, Junior 8 axis one diagnosis. Attachment 9, order of dismissal and transfer of 9 10 case for civil commitment proceedings, District Court of 11 Harris County, Texas, 7-24-78. 12 Attachment 10, PET scan images of Paul D. Reid, 13 Juni or. 14 Attachment 11, Killer tired of being a, quote, lab 15 rat, unquote. Tennessean, 4-24-03. 16 Attachment 13, affidavit of Linda Martiniano, 17 6-22-06. Attachment 14, affidavit of George W. Woods, 18 19 Junior, M.D. 6-22-06. 20 Attachment 15, competency evaluation and affidavit 21 by Pamela Auble, Ph.D., ABPP-TN, 1-15-05. 22 Attachment 16, affidavit of Kelly A. Gleason, 23 6-25-06. Attachment 17, affidavit of Connie Westfall, 24 25 6-25-06.

1 0. What were those last two? I am sorry, the numbers 2 Α. Attachment 16 and 17. 3 Attachment 21, petition for post conviction 4 relief, 9-23-05. 5 Attachment 27, petition for post conviction relief by and through Linda Martiniano, Kelly Gleason and Connie 6 7 Westfall as next friend 5-23-06. I believe that's it. 8 9 MR. OLIVE: Your Honor, many of these people are 10 or will be available to testify depending on how the hearing 11 gets bifurcated, but at this point in the proceeding I would move the admission of these documents only on the basis of 12 basis of the expert's opinion. And I am not going to mark 13 14 them all separately unless the Court wants me to. I think we 15 have enough paperwork with the appendix that's been 16 submitted. 17 THE COURT: They have been submitted with the I will admit them under 703 as what he relied on. 18 petition. 19 MR. OLIVE: Thank you, Your Honor. 20 Q. Sixteen and 17, are those declarations of defense team 21 members? 22 Α. Yes. 23 0. Do they indicate in your review of them whether over the 24 last couple of years they perceived that Mr. Reid has 25 deteriorated in his symptoms?

58

1 A. That's correct.

2 Q. And have you relied upon that deterioration?

3 A. Yes, I have.

4 Q. So to summarize, you do have an opinion to a reasonable5 degree of medical and scientific certainty as to whether Mr.

6 Reid suffers from a medical disease and defect?

7 A. Yes.

8 Q. You have stated what that is similarly a opinion to a
9 reasonable degree of medical and scientific certainty that it
10 prevents him from understanding his legal position and the
11 options available to him?

12 A. That's correct.

13 Q. And that prevents him from making a rational choice14 among his options?

15 A. That's correct.

16 Q. And similarly that he does not have a rational

17 understanding of why he is to be executed?

18 A. That's correct.

25

19MR. OLIVE:If I could have a moment, Your Honor.20THE COURT:Yes.

21 MR. OLIVE: Your Honor, on Attachment 14, which is 22 the witness's own writings, I would move the admission into 23 evidence not just as something he relied upon. I assume he 24 wasn't relying on his own work to come to his opinion.

THE COURT: Any objection?

1 MS. SMI TH: No objection. 2 THE COURT: Granted. 3 MR. OLIVE: For purposes of preliminary showing, 4 Your Honor, I have no further direct examination. 5 THE COURT: All right. Could I request a brief ten-minute MS. SMI TH: 6 7 recess to organize my notes prior to cross-examination? 8 THE COURT: Yes, ma'am, we'll take a brief break. 9 (A recess was taken.) 10 THE COURT: You can proceed any time you are 11 ready. 12 MS. SMI TH: Thank you, Your Honor. I apologize 13 for the delay. 14 THE COURT: I am happy to give you the time you 15 need to be prepared. You are entitled to that. 16 MS. SMI TH: Thank you, Your Honor. 17 THE COURT: You are welcome. CROSS-EXAMI NATI ON 18 BY MS. SMI TH: 19 20 Q. Dr. Woods, you testified about interviewing Mr. Reid on 21 three separate occasions. Can you tell us the approximate length of those meetings, if you recall . 22 23 Α. Sure. And I apologize, but I didn't catch your last 24 name. 25 Jennifer Smith. 0.

1 Α. Yes, Ms. Smith. Thank you. 2 I would say that they were about an hour and a 3 half to two hours each. And are the dates of those reflected in your 4 Q. Okay. report? 5 Α. Yes. 6 7 Your most recent being I guess June 20th? Q. That's correct. 8 Α. 9 Q. That was just last week? 10 Α. That's correct. 11 Q. Did you make any tape recordings of those conversations 12 or video recordings of those meetings? 13 No, I did not. Α. 14 Q. So we don't have any summary prepared -- did you Okay. 15 prepare any summaries of the conversations? 16 My report is typically the summary of my meetings. Α. No. Okay. The materials that you listed in terms of 17 Q. 18 reviewing as a basis of your testimony those listed in the 19 appendix index, is that an exclusive list of the materials 20 that you reviewed prior to your testimony here today? 21 Α. No. 22 What else did you review in addition to those Q. Okay. 23 materials listed in the appendix? 24 Α. I reviewed the neuropsychological testing of Dr. Pamela 25 Auble and Dr. Danny Martel, and I reviewed the testing as

well as their reports. I reviewed testimony of Patricia 1 2 Allen Casey, of Daniel Martel, of Helen Mayburg, of Pamela Auble, Xavier Amador during the I believe it was the first 3 4 1999 trial. I reviewed a report by a Dr. Burnett. 5 reviewed a report by, as I mentioned, Dr. Caruso. I think that's about it. 6 7 0. So is it fair, and this is what I am hearing you say, but is it fair to say that the materials that you reviewed 8 9 were reports of other mental health professionals prepared in 10 connection with litigation? 11 Α. Yes. As well as the mental health reports previously from 1978 on. 12 13 Did you -- do you base your opinion on or did you Q. Okay. 14 review in preparation for your testimony any other records or 15 medical or mental health records of Mr. Reid? 16 I certainly took into consideration his mental health Α. 17 records from 1964 through 2000. Through 2000? 18 Q. 19 Through which would include his childhood records Α. Yes. 20 and early adolescence and early adulthood. I think that's 21 about it. 22 At the beginning of your testimony, you testified Q. Okay. 23 that one of the things that you do in your field is to look 24 for the neurological aspects of behavior. 25 Α. Yes.

1 0. What steps did you take to ascertain Mr. Reid's behavior 2 aside from your interviews with him? 3 Α. Well, I reviewed his own writings. I reviewed the 4 declarations of his legal team. I reviewed the reports of 5 other mental health professionals that had interviewed him and his behavior while he was with them. I reviewed his 6 7 behavior during the 2003 proceedings here as well as his 8 testimony. 9 Q. Okay. 10 Α. And I think that's about it. 11 Did you interview any employee at Brushy Mountain State Q. Prison or at River Bend to ascertain his behaviors in the 12 13 course of his daily life at the prison? 14 Α. No, I did not. 15 Did you review any records maintained by the 0. Okay. 16 prison that would document his behaviors and any distress or 17 other things that might be manifested through behavior at the pri son? 18 19 Α. Not at the prison. I think the only records that I 20 reviewed of his behavior within a institutional setting was 21 his the records the Rusk State Hospital and the Texas 22 Department of Corrections. 23 What percentage of your practice is clinical versus 0. 24 forensi c? 25 Α. About 30 percent of my practice is forensic. About 70

1 percent is clinical.

2	Q. Okay. What I hear you saying in your testimony, and
3	correct me if I am wrong, essentially the delusional system
4	that Mr. Reid has maintained from the time of his trial which
5	you are aware of from reading the reports of his prior mental
6	health professionals has essentially remained the same. It
7	is the same delusional system; is that correct?
8	A. That's correct. It is deeper, but its basic premises
9	are the same.
10	Q. It is the same set of basic beliefs about monitoring and
11	scripting of his life and his legal proceedings and those
12	types of things?
13	A. Yes. I would suggest that the only change has been a
14	deepening of their impairment of his own intellectual
15	functi oni ng.
16	Q. Are you aware that he has stated in the past that those
17	beliefs are just made up?
18	A. Yes.
19	Q. And you are aware that he has denied holding the beliefs
20	at some times and he has stated that he holds the beliefs at
21	other times?
22	A. Yes.
23	Q. So he has been inconsistent in what he relates to mental
24	health providers or others in his the strength of those
25	beliefs?

Α. Well, there certainly have been times when he has denied 1 2 those beliefs. I think the overall consistency has been an 3 acknowledgment of them even at times when he has initially 4 started to deny them. The only consistent denial that I have 5 seen of these particular beliefs really occurred with Dr. Burnett in his interview. Even in 2003 in the court 6 7 proceedings, he initially shied away from acknowledging those But by the end of it, he acknowledged that they in 8 beliefs. 9 fact existed. So I think you are right there have been times 10 when he has denied them, but his consistent stance has been 11 that they are there. 12 Q. So I think we agree that sometimes he says he has them, 13 sometimes he says he doesn't. There are individuals who report that he has, and this is my term, bragged about his 14 15 ability to malinger or words to that effect? 16 Α. Back in the 1970s, yes. 17 Q. You testified earlier that you diagnosed a left temporal lobe dysfunction? 18 19 That's correct. Α. 20 Q. And symptoms of that would include a history of 21 psychosi s? 22 Α. Yes. 23 0. Was Mr. Reid psychotic when you met with him in any of 24 the three? 25 Α. Oh, absolutely.

1 Q. He was psychotic? 2 Α. Every time I saw him. 3 Q. Is he psychotic today? 4 Α. Well, I haven't talked to him, but you can't see psychosis. 5 There aren't behaviors that would manifest? 6 Q. 7 Α. Not necessarily. What you really -- psychosis is really a disorder of thought rather than a disorder of behavior. 8 So 9 a person can in fact look like they are functioning totally 10 appropriately. It is a thought disorder rather than a 11 behavior manifestation. 12 So your testimony is that you are able to determine what Q. 13 Mr. Reid's thoughts are? 14 Α. No. In the absence of any manifestation externally? 15 0. 16 Α. No. 17 Q. You determine what his thoughts are based upon what he tells you? 18 19 Α. Exactly. 20 Q. So you are relying on his reports to you? That's correct. 21 Α. 22 Q. Now, on his the other deficiencies are things Okay. 23 like language issues that you mentioned and learning 24 di sabi l i ti es? 25 Α. Yes.

1 0. That these are also manifestations of his temporal lobe 2 impairment. Are you aware that Mr. Reid has completed 3 college-level courses? 4 Α. Well, I am aware that he's completed precollege-level 5 courses to take college-level courses. He's completed a course, for example, in criminal 6 Q. 7 justice? I am aware of that. I know that most of his courses 8 Α. 9 were courses to on how to study and how to be successful in 10 college. 11 Q. He was able to relate to Dr. Matel during a previous evaluation the 13-step process in Tennessee for appeals in 12 13 the capital punishment process? 14 Α. Yes. 15 So his learning and language disabilities did not affect 0. 16 his ability to retain that information and then to subsequently relate it to someone in an evaluation? 17 In that situation, that is correct. 18 Α. 19 Okay. On the chart that you referenced, I think it was 0. Attachment 7? 20 21 Α. I think so, yes. 22 The axis one diagnosis. Did you prepare that document? 0. 23 Α. No, I did not. But you indicated that you have reviewed all of the 24 Q. 25 records on which the document was based?

1 A. That's correct.

2	Q. Okay. Turn to page six if you would. There were
3	several diagnoses beginning in 1998 with Dr. Kessler, '99 Dr.
4	Amador, I note that on several of these under the
5	diagnosis comments, for example, the 3-12-99 entry for Dr.
6	Amador lists incompetent to stand trial.
7	A. Yes.
8	Q. Okay. You are aware of course that the state court
9	rejected that opinion and actually concluded that Mr. Reid
10	was competent to stand trial?
11	A. I believe that's correct.
12	Q. Okay. And as well on the next page, March 6, 2000, the
13	opinion of Dr. Pamela Auble of incompetence and the opinion
14	of Dr. Keith Caruso in the next line of incompetence, those
15	conclusions as well were rejected by the state courts?
16	A. That's correct. Actually and there is another
17	difficulty with Dr. Caruso. He actually made the diagnosis
18	of schizoaffective disorder rather than delusional disorder,
19	so that's correct.
20	Q. Despite the fact in that top line there, March 29, 1999,
21	Dr. Martel diagnosed delusional disorder and brain damage,
22	his ultimate conclusion was in fact that Mr. Reid was
23	competent to stand trial in spite of those disorders; is that
24	correct?
25	A. I believe that's correct.

Q. And in fact, the state court so held that despite those
 disorders, Mr. Reid was met the standard for competence to
 stand trial?
 A. I believe that's correct.

5 Q. Since I believe you stated -- well, let me ask you just
6 to clarify. You have not reviewed Mr. Reid's medical records
7 maintained by the Tennessee Department of Correction; is that
8 correct?

9 A. That is correct.

Q. So you are -- maybe are you aware that or have you
been -- has anyone reported to you that there is no notation
of any mental health referrals in the course of his records
at Brushy Mountain, would that surprise you if there was no
mental health referrals?

15 A. No, it would not surprise me.

Q. Have you been informed that there were no mental health
referrals during that period that he's been incarcerated?
A. I think that I am aware of that, although I don't have
any verification or records to document that. But that was
my understanding.

21 Q. Are you aware that Mr. Reid is not currently taking --22 under any medication for any mental disorder?

23 A. Yes.

24 Q. And you testified earlier I think you would actually
25 diagnose -- I mean you would actually prescribe some

1 medication were he in your care?

2 A. I don't recall saying that.

Q. I am sorry. I may have misunderstood you saying you
might have recommended he take Lithium. Maybe you said he
had a history of?

6 A. I did.

7 Q. I misunderstood you. Are you aware that there are no
8 indications of mental illness in any of the records at
9 Tennessee Department of Correction that would lead any of the
10 personnel working there to make a mental health referral?
11 A. I not having seen those records, I would not be aware of
12 that, but I would accept that.

13 Q. Would it surprise you?

14 A. No.

15 That he is able to maintain himself in the prison 0. 16 setting without giving any indication of mental illness? 17 Α. Well, I think that he has actually given -- let me back up for a moment because I think what he has is neurological 18 19 illness with psychiatric manifestations. So the idea that somehow his behavior would necessarily have to reflect 20 21 psychosis or some type of mental illness, I think it really 22 comes out in ways that would probably not be recognized by 23 the courts. His relationship with his attorneys. In his 24 writings, for example, there really is no reason for the 25 office-- for the correctional officers to read his writings,

1 for example. Or to tell him to stop writing that kind of thi ng. 2 3 This again is based, if I understand it, on his Q. representations to you, on his representations to his 4 5 attorneys about his subjective beliefs? Α. And his writing. 6 7 Q. And his writings that might reflect his subjective beliefs? 8 9 Α. It could, yes. 10 Q. Would you agree with me that there is aside from the 11 writings now you have mentioned, that aside from the writings in terms of his affect, his behavior here in court, his 12 behavior in his meetings with you that would indicate that he 13 14 is not able to control himself or is not aware of his 15 surroundings? I think I have asked you two questions in 16 there, but if you can answer the two questions. Has he ever behaved inappropriately in your presence? 17 18 Α. That's a very interesting question. Has he ever acted 19 out in a violent or aggressive manner, no. 20 Q. Has he in your meetings with him does he appear to have 21 maintained appropriate personal hygiene? 22 Α. Yes. 23 Is he well groomed? 0. 24 Α. Yes. 25 0. Is he polite?

1 A. Extremely.

'	A. Extremery.
2	Q. Okay. Has he ever you answered he's never acted
3	aggressively towards you?
4	A. No.
5	Q. He's never done anything to make you fearful?
6	A. No.
7	Q. Has he ever done or said anything to make you believe
8	that he was not aware that he was in prison?
9	A. No.
10	Q. Has he ever done or said anything to you to indicate
11	that he was unaware that he had been convicted of murder?
12	A. No.
13	Q. Has he ever done or said anything in your presence to
14	make you to indicate that he was unaware that he was under
15	a sentence of death as a result of the conviction?
16	A. No, he has not.
17	Q. So he understands the basic factual premise of his
18	confinement?
19	A. I think basic is the telling word there. He believes
20	that he was in fact set up by scientific technology and that
21	the trial as well as his conviction are aspects of scientific
22	technology's role. But does he understand the process that
23	he went through, absolutely.
24	Q. Beyond the framing process, he understands that he is in
25	prison under a judgment facing a sentence of death?

1 A. Yes.

2	Q. Okay. You referred as well to testified to Mr.
3	Reid's long-standing delusions, and this gets back to the
4	scripting and to the scientific technology. I believe you
5	said that he had held those beliefs since 1985?
6	A. He says about 1985, yes.
7	Q. Again his report to you about how long he's held?
8	A. Actually there is also a declaration and testimony in
9	2003 of his brother-in-law, I believe Robert Kirkpatrick, who
10	says that Mr. Reid around 1987 reflected this same paranoid
11	ideations to him.
12	Q. I didn't mean to interrupt you. Go ahead.
13	A. So those are the two that I am most aware of, as well as
14	his sister.
15	Q. Did he hold the same delusional beliefs in 2003?
16	A. Well, at least according to the testimony of the prior
17	previous hearing, yes. He started out by denying them, but
18	as you start to look particularly around page 19, I believe
19	you will start to see that he does acknowledge that he
20	believes there is a scientific technology. He does
21	acknowledge that they have had some control over his life.
22	Q. Okay. Despite that belief, however, in 2003, he did
23	ultimately sign a state post conviction petition; is that
24	correct?
25	A. That's my understanding, yes.

1 0. And that was his choice at that time? 2 Α. Yes. You testified that he related to you that he hadn't 3 Q. 4 spent five minutes working on any of his legal cases? Α. Yes. 5 Q. And it was your opinion that he had essentially foregone 6 7 all legal activity in his cases? Α. Yes. 8 9 Q. Would it surprise you to learn that Mr. Reid regularly 10 telephones his legal counsel once a week from the prison at 11 Brushy Mountain? 12 No. Α. Are you aware that he in fact is required to go through 13 Q. 14 a written request to make those legal calls through the 15 pri son? I wasn't aware of that, but I would imagine that would 16 Α. 17 be true. So there is at least some period devoted to contacting 18 Q. 19 legal counsel and then speaking with legal counsel on the 20 tel ephone? 21 Α. There is no question he calls his counsel. Yes. 22 Okay. You testified that his -- about his belief, and Q. 23 this is the one thing that seems to be of more recent 24 vintage, the fact that history repeats itself and he seems to 25 be hearing the same things over and over?

74

1 A. I am not sure how recent that is. I certainly know that
2 that's been my experience and his attorneys and investigators
3 have noted that as well for the last several years.

4 Q. Did he relate it to you in 2003?

5 A. I didn't see him in 2003.

6 Q. Did you not testify in 2003? I am sorry.

7 A. I did not.

8 Q. I am sorry. That was Dr. Amador. I am sorry. You are
9 aware, however, that he's had multiple capital murder trials?
10 A. Yes.

11 Q. So it would not be unusual to hear the same legal12 phrases over and over again?

No, that's absolutely true. If it were just legal 13 Α. 14 phrases, I would agree with you, but in fact that's very 15 important because the examples that I have been given have 16 nothing to do with the law. They really have to do with 17 other types of conversations, and the one, for example, that 18 has to do with the judge and his district attorney actually relates to when he was eight years old and seeing himself in 19 20 the mirror and not washing his face, so none of them really 21 had to do with any legal statements.

Q. Must have misunderstood your testimony. I thought that
you said that there were identical legal statements made to
him by his attorneys and by the courts?

25 A. Identical comments.

75

1 Q. Okay. In his multiple capital trials, in his multiple2 competency hearings?

3 A. That's correct.

4 Q. And now his multiple gestures towards volunteering, it 5 actually does seem like history repeats itself for Mr. Reid? This is very interestingly neurological phenomenon 6 Α. 7 called perseveration. It is really where a person like a neurological deja vu where a person will hear something and 8 9 then they will believe that it occurred at another instance. 10 And what it really reflects is an impairment of episodic 11 memory where people can't recall exactly when something has occurred. 12

Q. But the memory lapses that you described don't appear to
affect his ability to certainly to understand his legal
situation?

16 A. I think they do.

17 Q. I think you said earlier that he understand he's been18 convicted?

19 A. Right --

20 Q. On a basic level?

A. I was going to say more to his legal situation than
being convicted. Certainly he does understand that he has
been convicted. He also believes that he is innocent and the
reason why he was convicted was because of scientific
technology controlling the legal process.

You have worked, I know, on a number of cases in 1 0. 2 Tennessee, Dr. Woods, and you would agree with me, however, 3 that many death sentenced inmates contend that they are 4 innocent, would you not? Α. 5 Yes. Q. Some contend that they were framed? 6 7 Α. Yes. 0. So that is not unusual. You do just what you find 8 9 unusual is from -- correct me if I am wrong -- is the basis 10 of the framing, scientific technology aspect? 11 Α. The pervasive quality of the framing. Okay. Are Mr. Reid's delusions unique to him? Has he 12 Q. 13 made this up? 14 Α. It is a fairly common -- I mean not the term scientific 15 technology is perhaps unique, but it is a fairly classic 16 paranoid ideation. 17 Q. Would it surprise you, for example, if I told you that the delusion about President Reagan being stricken with 18 19 Al zheimer's could be drawn directly from the internet? 20 Α. No. In fact, Mr. Reid acknowledges that he has been 21 provided some of this information. 22 0. From the internet? 23 Α. From the internet. And Mr. Reid also draws some of his beliefs from 24 Q. Okay. 25 literature, so to speak?

Α. So to speak. 1 Q. 2 The Warrior's Edge? 3 Α. Yes. 4 Q. A book written about scientific technology? Α. Yes. 5 Q. And the use of low frequency radio waves to bombard 6 7 individuals in a form of warfare that's nonlethal? Α. Yes. 8 Okay. And the fact that much of this is based in a 9 Q. 10 Soviet era research in the area? 11 Α. Yes. So his delusions are actually based in -- there is some 12 Q. 13 basis for them. He doesn't pull them out of the air? 14 Α. No, because what you are really describing are reinforcements for his delusions rather than a foundation. 15 16 Q. Clearly others hold --I am sorry. Let me finish. What you are really 17 Α. describing are reinforcements, things that he sees on the 18 19 internet or various places that reinforce it. They are 20 called ideas of reference. These really are the kinds of 21 things that crystallize delusions rather than create 22 del usi ons. 23 0. Okay. Are conspiracy theorists delusional? 24 Α. Some are, some aren't. 25 Do you think that are you familiar with the 0. Okay.

78

1 Warrior's Edge?

-	
2	A. No, I haven't had the pleasure to read it.
3	Q. I didn't know if the subject had come up in any of your
4	conversations with Mr. Reid. Actually I should ask you.
5	A. It actually had come up. He explained his perspective
6	on it fairly thoroughly.
7	Q. Would you disagree that a lot of the delusions that he
8	holds are based in that writing?
9	A. I think a lot of the delusions that he holds are
10	reinforced by that rather than based on it. May seem like
11	semantic, but what happens, what happens when someone
12	develops delusion, things that occur in the environment,
13	because they are scanning the environment for a reinforcement
14	of their delusions, becomes incorporated. Like the Warrior's
15	Edge and this stuff in Oregon, those are really things that
16	reinforce his belief rather than shape his belief.
17	Q. Okay. So if his if he is expressing beliefs that are
18	identical to statements contained in an attachment to a
19	letter filed with this Court on November 17th, 2003, the
20	identity of his beliefs with this writing is coincidence?
21	A. That are identical to what?
22	Q. If his beliefs are identical to the contents of the
23	writing?
24	A. So are you saying that he wrote a letter to the Courts
25	and his beliefs are identical to what he wrote?

0. I guess I am trying to figure out your point about the 1 2 reinforcement. I guess I am trying to clarify that. 3 Α. Sure. 4 Q. What I am confused about is if Mr. Reid makes statements 5 that appear to be identical in many respects to this writing, that in his letters to the Court, to others he seems very 6 7 focused on this writing? Uh-huh. 8 Α. 9 Q. And it's come up in your meetings with him. lsit simply coincidence that his beliefs would coincide with that? 10 11 I see what you are saying. No. It is paranoid Α. A delusion is a false fixed belief. And once 12 ideation. you -- once a delusion has crystallized, you can only think 13 14 so many things. So you are right in the sense that a 15 paranoid delusion over time as becomes increasingly fixed, it 16 is going to sound very, very similar because it is not like 17 he said Dr. Amador is now a part of the Navy Seals, right? Became a part of scientific technology. And that's what 18 19 happens in paranoid delusions is that they get information 20 that fits the delusion, reinforces the delusion, and in time 21 becomes part of the delusion. 22 So he would then adopt portions of this writing as part Q. 23 of his delusion; is that? 24 Α. That's yes, adopt is probably -- adopt implies a level 25 of will that I would probably not agree with of weighing and

deliberation, but certainly they become incorporated into his 1 2 del usi on. 3 While we're talking about that, I think you had 0. 4 discussed the reasons why you did not believe that Mr. Reid 5 is just making this stuff up? Yes. Α. 6 7 And one of the things that you said was that his Q. symptoms are too consistent with the illness itself? 8 9 Α. Yes. 10 Q. For him to be making it up. Number one, he is too 11 consi stent? 12 Internally consistent, that's correct. Α. And number two, they are -- in terms of his behaviors. 13 Q. 14 And then number two, they are very consistent with the actual 15 symptoms? 16 Α. That's correct. 17 Q. Okay. 18 Α. The nonpsychiatric symptoms. 19 Okay. It is true, however, and I think that you have 0. 20 reviewed much of this testimony based upon the index of 21 attachments, that Mr. Reid has now sat through three trials, 22 multiple competency proceedings where he has listened to 23 experts such as yourself describe all of the symptoms for all 24 of these diagnoses that he's been given; is that correct? 25 Α. That's correct.

0. He soaks this stuff in as he's sitting in these 1 2 proceedings; isn't that correct? 3 Α. I could not go that far. He certainly is sitting here. 4 Q. He's known to adopt writings from the Warrior's Edge. 5 And I know you don't like the use of that word, but certainly those reinforce his beliefs, you said? 6 7 Α. They reinforce his belief. That's a different question than whether he absorbs this information here. I think that 8 9 we have got to separate out what happens in court, for 10 example, the psychological testing of Dr. Martel. 11 When you look at the personality assessment 12 inventory, when you look at the Minnesota Multiphasic 13 Personality Inventory that Dr. Martel did, neither one of 14 them reflect malingering. And that would have been a great 15 opportunity for Mr. Reid to in fact show how he were 16 malingering, to show how psychotic he was. But Dr. Martel, 17 who was a state's witness, first of all, made the diagnosis that Mr. Reid was in fact delusional, a delusional disorder. 18 19 And then noted if you look at his testing rather than his 20 report, what you will see is that these were defensive 21 These were profiles, as Dr. Martel noted, where he profiles. 22 actually minimized psychiatric symptoms. So in spite of that 23 minimization of psychiatric symptoms, Dr. Martel still found 24 that he had delusional disorder. Although he did not find 25 that, like you said, that he met the statutes of competency.

1 0. Would you agree with me that delusions are entirely 2 subjective with the individual? Is that an accurate 3 statement? Α. I am not sure what you mean by subjective. 4 5 0. It is something that only that individual really knows. Α. Wow. 6 7 We can look at symptoms, we look at signs? Q. 8 Α. Right. 9 Q. But in terms of what that individual believes, that's 10 based on what the individual states? 11 Symptoms are subjective. Signs are objective. Α. And 12 certainly you cannot open up one's brain and see a delusion. 13 Nevertheless, what you look for is the internal consistency 14 and the quality of impairment. There are really two. The 15 broad category of delusions are ego-syntonic, delusions where 16 people like their delusion and they enjoy it, and ego-dystonic, delusions where people suffer or feel as though 17 18 they suffer. You are probably able to see ego-dystonic 19 delusions more effectively than ego-syntonic, but they are 20 subjective. Yes. 21 Looking directly at the standard that we are here on Q. 22 today, your testimony, as I understand, is that Mr. Reid does 23 suffer from a mental disease or defect? 24 Α. That's correct. 25 0. That involves his delusional system?

1 A. That's correct.

2	Q. You testified as well, however, that he has a factual
3	understanding of his conviction?
4	A. He understands that he was convicted.
5	Q. He has a factual understanding of his death sentence?
6	A. He understands that he was sentenced to death.
7	Q. And he has an understanding based upon his action in
8	2003 that if he signs a petition, he gets a stay?
9	A. I did not ask him directly why he did that, so I could
10	not it would be speculative for me to agree with that, but
11	he certainly did that.
12	Q. He did that?
13	A. Yes.
14	Q. He did that in the face of a pending execution just as
15	we have here today?
16	A. That's my understanding.
17	Q. In 2003, he suffered from the same delusional belief
18	system that you describe here today?
19	A. Yes.
20	Q. Okay. I don't have any further questions.
21	A. Thank you very much.
22	THE COURT: Any redirect?
23	MR. OLIVE: Yes, Your Honor.
24	
25	

1	REDI RECT EXAMINATION
2	BY MR. OLIVE:
3	Q. Doctor, on that last question, if the scenario in 2003
4	was the United States Court of Appeals for the Sixth Circuit
5	entered a stay of execution on Mr. Reid at that point was not
6	facing execution, and only after he was not facing execution
7	he signed a document that would allow his appeals to proceed
8	in some other court, would that have any impact because the
9	question to you was he knows if he signs a piece of paper he
10	gets a stay, and if in fact there was a stay already, is that
11	a different issue or a different question?
12	A. It is a different question.
13	Q. Why?
14	A. Because a stay for Mr. Reid is mixed. On the one hand,
15	he believes that scientific technology is willing to
16	sacrifice him. On the other hand, he believes that if he has
17	more time to write about them, he may have a greater option
18	of exposing them. So it is not logical, but having a stay in
19	place certainly is a different issue.
20	Q. Also you say that he understands his conviction, or you
21	were asked if he understood his that he was convicted and he
22	is under a sentence. And I want to explore that in order to
23	understand. A parrot can be taught to say, I was convicted
24	and I am sentenced to death; is that not correct?
25	A. Yes.

Г

1 0. Whether they have an understanding of that would be 2 faceti ous. They just are parroting words; is that fair? 3 Α. Yes, I think recognize would probably be a better word. 4 Q. What does he actually believe as opposed to what does he 5 actually say? He believes that he is innocent. He believes that 6 Α. 7 scientific technology has the tapes since they tape his That scientific technology has the tape to show 8 entire life. 9 that he is innocent and that they are purposely not providing 10 that tape to further this experiment, to continue this 11 experiment. 12 He believes that scientific technology controls 13 his attorneys. And limits their ability and influences them 14 directly in their ability to provide him legal options. And 15 he believes that the only way -- and the scientific 16 technology has -- is willing to sacrifice him in order to not 17 be exposed. 18 Q. You were asked what other than what he said to you in 19 face-to-face meetings indicated that he was mentally ill, and 20 you responded it is a disorder of thought, not behavior. Do 21 you recall that? 22 Α. Yes. 23 0. And there was a line of questioning that suggests that 24 if, for example, prison quard or people in his environment 25 don't detect signs of mental illness, then he is not mentally

86

1 ill. Is that what you were getting from the questions?
 2 A. Perhaps the inference.

3 Q. What's your reaction? Do you have patients who have4 delusions that other people don't know about?

- 5 A. Absolutely.
- 6 Q. Why not?

7 Α. Because paranoia is designed to organize. It depends upon the kind of delusion that you have. If you have an 8 9 erotomanic delusion, for example, that is based upon some 10 type of sexual acting out it is more difficult to keep that 11 If you have a grandiose delusion where you think hi dden. 12 that you are the King of England or something, it is more 13 difficult to keep it hidden. But paranoid delusions are 14 delusions that organize your life. Paranoia organizes your 15 Unfortunately, it organizes your life through a life. paranoid filter so it is not an accurate perspective. And 16 that's why thought disorders, thinking are really the 17 hallmark of paranoid delusions. 18

19 If I could just tell a quick story.
20 Q. Sure.
21 A. I have a client I saw him down where I shop, and he is a
22 high functioning paranoid schizophrenic. As I was watching

high functioning paranoid schizophrenic. As I was watching
him walk down the street, he didn't see me. As I was
watching him walk down the street, he would walk six or seven
feet away from a person, and then he would get close to the

next person and he would walk six or seven feet away from 1 2 Then he would get to the next person, and he would them. 3 walk six or seven feet away from them. If you were to look at him and you didn't know him -- I asked him what was going 4 5 on the next time I saw him. You would think that he was just kind of walking down the street. He had his bags of 6 7 groceries. He was dressed a little bit strangely, but I live in California so. . . and so when I asked him, he acknowledged 8 9 that he was afraid of -- this is his delusion -- other people 10 having germs. I had never seen him out of my office. And he 11 was afraid, and so this is the way in which he maneuvers 12 through the world. But he every day he pays his rent, he does these everyday things. He just has this paranoid 13 14 del usi on. 15 One of the things that you said in response to where you 0. got your belief about his behaviors beyond what he told you 16 17 was what he had written? 18 Α. Yes. 19 0. Things he had written. And you were asked about 20 apparently letters that he had written to the court? 21 Α. Yes. 22 Have you had an opportunity to review all of the letters Q. 23 that he has written to this court, for example, since 2003?

A. A number of them. I hesitate to say all of them, but Ihave read quite a number of them.

Q. Okay. 1 2 Α. I don't know how many are available. Would you like to be able to read all of them? 3 Q. Α. I think I probably have. I have read a lot of 4 Yes. 5 them. Q. Okay. 6 7 Excuse me, Your Honor. If I can have MR. OLIVE: 8 a second. 9 THE COURT: Yes, sir. BY MR. OLIVE: 10 11 With respect to his writings, have you heard in the Q. course of your dealings with the case of the term of 12 13 depositions or the deposition that Mr. Reid was writing? 14 Α. Yes. 15 And have you had occasion to see actually any part of or 0. 16 all of now 172-page --75-page document entitled Autobiography of Paul Reid in Deposition Form? 17 Yes, I have. 18 Α. 19 MR. OLIVE: If I could have this handed to the 20 witness. I have an extra, Your Honor. I don't know if you 21 are interested in this two inches of paper or not. 22 THE COURT: Yes, you can hand it up. 23 BY MR. OLIVE: 24 Q. Are you aware that Mr. Reid writes this out in 25 handwriting and sends it to his lawyer's office?

Α. Yes, I have actually never seen the -- I have seen the 1 2 handwritten form. I have not seen the typed form. 3 Q. And that it gets typed up, returned to him and then he suggests edits? 4 5 Α. Yes. If I could have the witness look at MR. OLIVE: 6 7 this next. I have a copy. BY MR. OLIVE: 8 9 Let me ask you, sir, a hypothetical. Is it a behavior Q. 10 worth noting with respect to your diagnosis that a patient 11 would write this delusional -- if it is delusional story, 12 send it to the office of his attorney, get it back typed up, 13 make edits in it with the suggestion that he was going to use 14 this to expose scientific technology in the near future. You 15 were asked whether there were any behaviors that you 16 Is this writing a behavior? observed. 17 Α. No -- there is no question in my mind, and his writing certainly had a -- was important factor in me looking at his 18 delusions. I might add also was important factor in me 19 20 looking at his neurological deficits. 21 Q. Explain that. 22 When you look at his writing and particularly when you Α. 23 look at his handwritten, although this is very useful as 24 well, you see a number of things. On the first page if you 25 were to look at on the left hand side where there are

1 names --

You are looking at a document we have not identified. 2 Q. 3 The thick document I will have marked as Exhibit 2, the one 4 in your hand right now. And that is the typed up version of 5 the deposition. Α. Okay. 6 7 Your Honor, may I just interpose an MS. SMI TH: objection to this document? It's not been properly 8 9 identified, authenticated.

THE COURT: Granted.

MR. OLIVE: Well, Your Honor, I can tie it up
later with the people who typed it up and have gotten it.
THE COURT: He says he's never seen it. I can't
admit it into evidence when he's never seen it.
MR. OLIVE: May I proffer it?

THE COURT: You can mark it for identification,

17 yes.

10

16

18 MR. OLIVE: Should I just write on it, Your Honor?
19 THE COURT: Hand it to the court clerk.

20 BY MR. OLIVE:

21 Q. If you will assume, Doctor, that this is information

that was written and provided by Mr. Reid and typed up by theat his attorney's office. Just assume that.

24 A. Yes.

25 Q. That that's the chain of custody.

1 A. Yes.

2 Q. All right. Having never seen that first page before but
3 with that information, is that document significant?
4 A. Yes.

5 Q. Why?

6 A. There are words on the first page of this document that
7 are consistent with words on the first page of the
8 handwritten document that I have seen that are the same.

9 Q. Such as?

10 A. Scrutator, s-c-r-u-t-a-t-o-r. F-i-n-e dash b-o-u-c-h.

The first scrutator which is, as I said, a word that I had seen and the sentence that I had seen in his handwritten are examples of neologisms. Neologisms are words that are basically created out of whole cloth and reflect impairments in expressive aphasia.

16 Q. Is that a symptom of the diagnosis?

That's correct. That's a symptom of left temporal lobe 17 Α. 18 impairment. If you also look at the language on this page 19 which is consistent with the language that I have seen on his 20 handwritten pages, you will notice that almost every sentence 21 there is either a translation of a French word or a 22 identification of another word. For example, a definition he 23 defines chronicle is history. This is an interesting form of 24 language called stilted language. And stilted language is 25 again a reflection of psychotic thought. Stilted language is

a reflection neurologically of impaired expressive language. 1 2 Particularly Broca's area number 38. Broca's area is an area 3 in the left temporal lobe that controls both expressive and receptive language. When you have impairments in Broca's 4 5 area, you have this type of expressive language that often And this page is consistent with what I have 6 gets unusual. 7 seen in his writing in terms of his impairments in expressive 8 I anguage. 9 With respect to behaviors beyond what you picked up by Q.

sitting and speaking with him that you were cross-examined
about, you mentioned family members. In the appendix we have
a declaration of Janet Kirkpatrick from 4-25-03 of Attachment
Did you rely upon that?

14 A. I don't recall actually seeing Ms. Kirkpatrick's15 declaration.

16 MR. OLIVE: Can I approach the witness and see if17 it refreshes his recollection?

18 THE WITNESS: I am sorry, I actually had seen19 this. I apologize.

20 BY MR. OLIVE:

21 Q. So is that a family member describing history of mental22 illness?

A. Well, what she notes that I am not an expert in mental
illness, but given my knowledge of Paul, I believe that he is
gravely ill. It is clear to me that the only reason he has

1 tried to give up his appeals is because of his illness. Paul 2 is not acting rationally, although she does not in this 3 declaration describe his history of mental illness. 4 Q. Thank you. And you did mention the declaration of another sister? 5 Α. That's correct. 6 7 0. Who is that? Linda Martiniano. 8 Α. 9 Q. And that's Attachment 13. You did review that one? 10 Α. That's right. 11 Q. Which reflected comments about mental illness and not acting rationally? 12 13 Α. That's correct. 14 Q. And you referred to a brother? 15 Α. Actually brother-in-law. 16 Q. What was that information? Mr. I think it is Robert Kirkpatrick is the 17 Α. brother-in-law of Mr. Reid and in fact met him while they 18 19 were both at the Alice 2 unit in Texas's state prison. 20 Subsequently married Mr. Reid's sister. And Mr. Robert 21 Kirkpatrick noted these paranoid ideations, this belief that 22 he was under control. Him being in the house and being 23 frightened. This was after he got out of Alice 2. And being 24 concerned about the government controlling family members 25 even as early as 1987-1988.

94

1 0. You were asked about whether you knew that Mr. Reid 2 called his attorney on a weekly basis. 3 Α. Yes. 4 Q. You said you were aware of that? Α. 5 Yes. Are you aware of the content of those conversations? Q. 6 7 Α. Only through the declarations of the attorneys and paralegals and investigators. 8 9 Q. And if there were tape recordings of any of those 10 conversations, would that be something you would want to 11 listen to or be able to listen to? 12 I think it could be useful. Α. 13 The implication was that legal strategy or information Q. 14 was being exchanged during these telephone calls. Was that 15 the implication that you took from the question? 16 Α. Well, the implication was that because he was contacting 17 his legal team? Q. Yes. 18 19 The inference was that something useful. Α. 20 Q. And he was keeping up with his legal affairs? 21 Α. Exactly. 22 Is that your understanding of what happened during those Q. calls? 23 24 Α. Not certainly not from the team itself, from Ms. Gleason 25 or Ms. Westfall or Mr. Hare, nor from the declaration.

95

1 0. You mentioned that Dr. Martel in his testing found a, 2 quote, defensive profile, closed quote? 3 Α. Yes. 4 Q. That is where someone is trying not to be found abnormal? 5 Α. That's right. 6 7 Q. So the opposite of it is malingering the wrong way. Ιt is malingering to be found normal? 8 9 Α. Dissimulation is the larger category. Dissimulation is 10 the presentation of psychiatric symptoms of inaccurate 11 psychiatric symptoms. Malingering is the development of psychiatric symptoms. Defensiveness is the minimization of 12 13 psychiatric symptoms. And so what we see in his testing was 14 that we had two defensive profiles which means that he was 15 malingering -- I mean he was minimizing his psychiatric 16 symptomatol ogy. 17 Q. Is that is it since you have a test for it, is it fair 18 to say that it is not uncommon for someone who is mentally 19 ill to minimize it? 20 Α. That's correct. 21 Q. Is it common? 22 Α. Called denying. 23 0. Is it common? 24 Α. It is common. 25 0. You were asked whether you had reviewed any prison

records or whether there was anything in any prison records 1 2 to indicate any mental illness or disease or defect; do you 3 recall that? 4 Α. Yes. 5 Were you aware, and I am not sure whether you were or 0. you were not, that Mr. Reid was evaluated by a prison 6 7 psychologist in 2003-2004, given an MMPI II that had an invalid profile and a defensive profile? 8 9 Α. No, I was not aware. 10 Q. What is an MMPI 11? 11 Minnesota Multiphasic Personality Inventory, Second Α. The first revision came out in 1948, which was a 12 revision. 13 great year, but that's another issue. And the second came 14 out in 1996. And so this is the second revision of the 15 Minnesota Multiphasic Personality Inventory. A defensive 16 profile is a --17 Q. Begin with invalid profile. 18 Α. An invalid profile means that you cannot take that 19 profile based upon the findings that have been presented. 20 0. And if the conclusion was that the reason it was invalid 21 is because he was trying to appear normal, would that be a 22 defensive profile as you put it? 23 Α. That is a defensive profile. 24 Q. Would it be relevant to you that in taking a MMPI II, 25 Mr. Reid produced an invalid defensive profile?

1 A. Yes.

2	Q. Why? And in 2002-2003 given by a prison psychologist?
3	A. First of all, it would be consistent with the MMPI II
4	that was given by Dr. Martel. And secondly, it really
5	reflects someone that is not trying to present themselves as
6	having psychiatric problems. It is actually someone that is
7	minimizing any possible see, a defensive profile means you
8	are not even responding like someone every day would respond.
9	You know, like someone, okay, this is going on. I have good
10	days. I have bad days.
11	A defensive profile really reflects someone that
10	is minimizing any type of neurobal asiast issue. And that a

12 is minimizing any type of psychological issue. And that's
13 when it gets to a certain level, that's why it becomes
14 invalid because we all have bad days.

Q. You were asked about the fact that Mr. Reid has sat
through court proceedings and has heard and seen legal terms
and legal actions and how that might relate to his memory
issues of, oh, that's happened before. Do you recall that
examination?

20 A. Yes.

Q. Do you recall instances related by his defense team
where they would say something to him and he would
immediately say, You said that the last time you were here,
or, You said that in 2003, that sort of episode, when it
simply wasn't true?

1 A. Yes.

2 Q. So the fact that he might have heard legal terms or not
3 or seen things in court or not doesn't impact on your, what
4 did you call it, deja vu neurological?

5 A. Neurological deja vu or separation.

6 Q. Why not?

7 A. Because the instances and examples that Mr. -- that his
8 legal team provided really had nothing to do with legal
9 conversations. They really had more to do with really casual
10 conversations that they would have rather than specific legal
11 issues.

Q. I don't understand how the brain defect would have
someone see something occurring in front of them and within
moments say that happened last week. How is that a memory
problem?

16 It is called confabulation. It really is a function of Α. what we call episodic memory. In order to remember 17 18 something, you have to have all three components of your memory working. You have to have registration, which means 19 20 that you get it in. You have to have retention, which means 21 that you are able to hold it. And you have to have recall, 22 which means that you are able to pull it back at the 23 appropriate time. The temporal lobe really controls a lot 24 of memory. And so if you don't recall something in proper 25 sequence, if you can't say, oh, well, this happened here and

this happened here, if you don't recall something in proper 1 2 sequence, then you can present it in an inappropriate 3 sequential pattern. You present it at a time when it really 4 didn't happen. This is what's called confabulation. Mr. 5 Reid's memory problems are such that he can certainly register stuff. He can get it in. And he can certainly 6 7 recall it from time to time. He can tell you that something But he doesn't always because of the middle 8 happened. temporal area that controls this kind of memory, he doesn't 9 10 always sequence it properly. So to say that something 11 happened then and now it is happening again is a sequential 12 error. It is a neurological error of sequence. 13 Dr. Amador in his affidavit upon which you relied and in Q. his discussions with you perhaps indicates that he ends 14 15 conversation yesterday with Mr. Reid told him that his 16 mother, Dr. Amador's mother, had died recently. Do you 17 remember that? 18 Α. I do know that she had died recently, yes. 19 Do you remember the conversation or him relaying the 0. 20 conversation he had with Mr. Reid about it? 21 Α. Yes. 22 And within moments Mr. Reid said, Wait a minute, you Q. 23 told me a year ago or four years ago she died? Do you 24 remember this? 25 Yes, I do. Α.

100

0. Is that an -- what do you make of that? 1 2 Well, that is an example of the type of sequential Α. 3 behavior, this inability to sequence properly. This is what happens with long-term alcoholics that say or people that 4 5 have Alzheimer's that will lose their keys and they will say, Someone must have taken my keys. Who moved my keys? Where 6 7 did they go? And that is really this problem of sequencing. 8 9 Because Mr. Reid has two parts of his brain that work 10 relatively effectively, but he's got the gate that does not 11 And so consequently, this kind of stuff comes through work. 12 at inappropriate times. 13 So when he goes to recall what he's been told, he pulls Q. 14 it from a different time? 15 Α. Yes, out of sequence, right. If I may have a moment, Your Honor. 16 MR. OLIVE: THE COURT: 17 Yes, sir. BY MR. OLIVE: 18 19 Do you have any knowledge about the quality of Q. 20 healthcare and monitoring in the prison system that you were 21 asked about on cross-examination? 22 Α. I don't have any direct knowledge. Would that be relevant? 23 0. 24 Α. Of course. 25 0. Why?

Α. Having an understanding of the training as well as the 1 2 access to both neurological as well as psychiatric care would 3 be very important in an understanding of how effectively 4 people with neurological disorders that have psychiatric manifestations are treated. 5 And if a unit manager -- you know what a unit manager 6 Q. is, that sort of convey the information you need from your 7 contact with prison systems? 8 9 Α. Yes. 10 Q. For some a person who had responsibility for overseeing 11 a given number of inmates were to testify that their impressions of the inmate, would it help you to hear of those 12 13 statements to assess whether they could or couldn't affect a 14 di agnosi s? 15 Α. It certainly could. 16 Q. Thank you, sir. 17 THE COURT: Anything else from this witness? MS. SMI TH: Nothing further, Your Honor. 18 19 THE COURT: Thank you, sir. You can step down. 20 THE WITNESS: Thank you. 21 THE COURT: You are welcome. 22 (Witness excused.) 23 MR. OLIVE: If I could have a moment, Your Honor. 24 THE COURT: Yes. 25 MR. OLIVE: Your Honor, based upon the Court's

comments and directions at the beginning of the hearing and
 with the intent of making the preliminary showing through a
 preliminary hearing and with all of the documents that the
 Court has before it and the testimony that's been submitted
 and the exhibits, we think we have crossed that threshold.

6 We would be happy to continue with the hearing on 7 the merits, but we think we have hit the threshold if that's 8 the bifurcation that the Court wants. Sound like that's what 9 the State contested was both the first step and the second 10 step, and we think we have satisfied the first step.

11 THE COURT: I understood their comments to mean 12 they were contesting both steps. So you have put on all of 13 the proof you want on the issue of the preliminary showing of 14 whether there is any evidence that would raise a reasonable 15 doubt about his competence?

MR. OLIVE: Correct.

16

25

17 THE COURT: Ms. Smith, is there anything, any
18 evidence you would like to put on that preliminary hearing
19 stage?

20MS. SMITH: Could I have one moment, Your Honor?21THE COURT: Yes.

MS. SMITH: Your Honor, I don't think we have any evidence to put on at the preliminary hearing stage. I think our position would be --

THE COURT: Why don't you come summarize your

1 position then?

20

2 MS. SMITH: Just in terms of the initial showing, 3 Your Honor, I think that our position would be that the 4 testimony of Dr. Woods was sufficient in and of itself to 5 establish, number one, that Mr. Reid has an understanding of guilt or innocence, the process of framing the legal system 6 7 itself, he understands he is a participant in it at least nominally. And he understands at least in his past actions I 8 9 think this Court can take notice of his past actions in 2003 10 the fact that actions on his part actually have some effect 11 in that process, and I think that was borne out by Dr. Woods' 12 testimony, despite the delusional belief system that he may 13 operate under that he does have an understanding of the legal 14 system, and he does understand had his actions make a 15 difference in that, so that would be our position that the 16 testimony that the Court has heard so far does not meet the 17 threshold.

18 THE COURT: Thank you. Any summary by the19 petitioner?

MR. OLIVE: No, Your Honor.

THE COURT: Well, as I indicated at the outset of this hearing, the direction to this Court from the Court of Appeals when it reversed the previous decision of the Court is pretty direct and clear. The court said that the following would apply. Criteria for the Court at the

1 preliminary hearing is to determine whether there is any 2 evidence that would raise a reasonable doubt about Reid's 3 competence and entitle him to a full evidentiary hearing on 4 the issue. And the standard dealing with the word competence 5 would be Rees standard, Rees versus Payton, and that's whether he has the capacity to appreciate his position and 6 7 make a rational choice with respect to continuing or abandoning further litigation or on the other hand whether he 8 9 is suffering from a mental disease, disorder or defect which 10 may substantially affect his capacity in the premises.

Based on the testimony of Dr. Woods and the documents that he's relied on, I think the petitioner has met the standard. It is a fairly low standard. It is any evidence, I repeat, any evidence that would raise a reasonable doubt about competence. And petitioner has made that threshold showing under Harper versus Parker.

17 So then the next question is about the full 18 evidentiary hearing. The Court of Appeals expressed before 19 that the State be given a full opportunity to evaluate Mr. 20 Reid and present evidence concerning his competency. And so 21 I need to hear from the State whether they have had a full, 22 fair opportunity to develop all of the evidence they want to 23 develop.

24 MS. SMITH: Your Honor, I hate to ask the Court's 25 indulgence again. Because I think that my answer to this question may very well have an impact on this evening's proceedings, I would ask if the Court would permit me some additional time to consult with my supervisors, my superiors at the Attorney General's Office before making that recommendation to the Court. I can make it if the Court forces me to, but I would prefer to --

7 THE COURT: I want to you make an informed I understand that the Attorney General of the 8 deci si on. 9 State of Tennessee is the officer holder and that you may 10 need to confer with him or others, and we can take a break 11 for you to do that. That may not be who you are inferring 12 that you need to talk to, but I understand that it is a group decision I guess is the way to put it. 13

MS. SMITH: I don't mean to delay, and I think a very brief recess. But my feeling from the Court's comments and my understanding of Kirkpatrick that it could very well have a bearing, you know, directly impact the proceedings this evening. So I would like to consult with others on that and give the Court an answer.

THE COURT: Okay. Mr. Martin, you should anticipate that I am going to ask you the same question of whether you have had a full opportunity to marshal the evidence you want to marshal and whether you are prepared to go forward. I want to hear from both parties on that. And if you have formed an opinion, you can express it now. Or if

you want to wait until after the State of Tennessee forms its 1 2 opinion, that's up to you. 3 MR. MARTIN: I'd like to wait to hear what they 4 say, and we will discuss it also during this recess. 5 THE COURT: We're going to take a recess. Okay. If you could let Mrs. Bush, the courtroom deputy, know 6 7 whenever you are ready to proceed, then we'll do so. But we'll be on your timetable. 8 Thank you. 9 (A recess was taken.) 10 THE COURT: Ms. Smith, have you had an opportunity 11 to form an opinion? 12 MS. SMITH: Yes, Your Honor. I appreciate the 13 time. 14 THE COURT: You are welcome. 15 MS. SMITH: Your Honor, the State wishes to 16 exercise its right to have an expert evaluate Mr. Reid. And given the testimony presented today, we think it is important 17 that we be able to have the opportunity to present 18 19 countervailing expert testimony and to have an independent 20 expert evaluation. However, that will not be possible this 21 afternoon. 22 Under that circumstance and given the posture of 23 the case, we do not think that a stay of execution is 24 appropriate under Section 2251, which requires the filing of 25 a proper federal habeas petition. And under this Court's

1 equitable jurisdiction, we do not think that Mr. Reid is 2 entitled to a stay at this point, given the timing of the 3 filing of this action. This execution date has been set 4 since September of last year. It was reinstated in May of 5 2006. None of the evidence that Your Honor heard today is really significantly different than what was presented in 6 7 2003 the last time this case was before the Court. We think that the delay awaiting until nearly 36 hours before an 8 9 execution is inexcusable and does not warrant the grant of 10 equitable relief in the form of stay of execution at this 11 time.

12 THE COURT: All right. Let me make sure I 13 understand what you are telling me. You say that the State 14 does want an expert on its behalf to evaluate Mr. Reid, but 15 you are opposing a stay of execution because you believe it 16 has been dilatory in terms of filing this action today, and 17 the Court's otherwise not authorized to issue a stay?

MS. SMITH: That's correct, Your Honor. We don't have sufficient time, given timing of the action which was after business hours yesterday, to fully prepare for a full evidentiary hearing, including independent expert evaluation of Mr. Reid.

THE COURT: All right. Response.

23

24 MR. OLIVE: Your Honor, we would be asking for 25 more time and a stay of execution in order to prepare for a full evidentiary hearing, and Mr. Martin is prepared to
 address that.

3 I would address two issues. Certainly we don't oppose their evaluation. Obviously there is authority to 4 5 enter a stay, as the Sixth Circuit did under similar circumstances in 2003. With respect to delay, as Your Honor 6 7 foreshadowed three hours ago, everyone knew this was coming. No one has asked to evaluate the petitioner or Mr. Reid from 8 the State side in the last three years. As noted by Judge 9 10 Gassaway in this case in September of 2005, that transcript 11 of the hearing there in this case, Mr. Reid's competency has 12 always been an issue from virtually the date of his arrest. 13 So this Court knows that this assertion of incompetence to 14 file post conviction by Ms. Gleason and Mr. Hare is not some 15 el eventh-hour contention. That his competency has been in 16 question for a long time.

No one has been surprised by any of this. I can
go through the timeline. When the Tennessee Supreme Court of
5-4-06 issued an opinion in this case in the state court
action, 19 days later, the next friend petition was filed.
Twenty-one days later, the Court acted on it. And then it
went through the appellate process.

I note that it got here the day that it got here,
but no one was surprised by that. It wasn't a maneuvering to
get it here at some point in time. If the state trial court

wanted to act quicker, it could have. If the state Supreme
 Court wanted to act quicker in the decisions it issued just
 yesterday establishing the standards for competency in Reid's
 case, it could have.

5 I don't think that the public servants that have pursued this action have been dilatory. They have done what 6 7 they thought was correct and proper, and it just is part of the nature of litigation that we're here at this time. 8 So I 9 think that if the State wants the evaluation, which is their 10 right, having not asked for it previously, that under the 11 authority of the last decision in this case, the stay would 12 be appropriate to allow him to have and schedule full 13 evidentiary hearing.

14 If you want to be heard on any of our reasons for
15 a full evidentiary hearing and a stay, we can address that
16 too.

THE COURT: Your choice. Mr. Martin.

17

18 MR. MARTIN: I had in the introductory remarks had 19 indicated some of the things, kinds of things that we expect 20 to do in preparation for a full evidentiary hearing if we got 21 to that point such as doing exchanges of witness list, doing 22 discovery. Probably would also do document discovery.

In addition, some items have come up based on the cross-examination by Ms. Smith of Dr. Woods that indicate to me that they intend to rely to some degree on some inference of care within the Tennessee Department of Corrections.
 That's an issue that we would certainly want to investigate
 more. I have anecdotal personal information about quality of
 care, psychiatric care provided to inmates, but nothing to
 offer today that we would try to develop.

In addition, there is some indication that there
would be testimony about or production of some documents from
Tennessee Department of Corrections. We probably have some
of those, but I don't have any confidence that we have all of
those.

That's the kind of thing that we would do in preparation for having a full evidentiary hearing, which we would like to have following stay of execution in time to do that.

15 THE COURT: All right. Anything else that anybody16 wants to say about anything?

17 MS. SMI TH: Just one very brief comment. Something that Mr. Olive said struck me. He said everyone 18 19 knew this was coming, and that's exactly right. Everyone 20 knew this was coming, but the State's hands are tied until 21 the petitioner actually goes into a trial court and we're 22 able to ask for an evaluation. So the timing of this lawsuit 23 which was completely dictated by the petitioner, the 24 Tennessee Supreme Court noted in the order issued yesterday 25 the delay in even filing a notice of appeal from Judge

Gassaway's order in the trial court. It wasn't until
 Thursday of this past week that the petitioner moved for a
 stay of execution. The Tennessee Supreme Court moved very
 quickly on that after it was filed. But days and days went
 by before it was even filed in the court.

6 What happens is the petitioner has waited until 6
7 p.m. the day before a scheduled execution and almost
8 guarantees a stay if the State invokes its right to fully
9 defend against the claim.

10 It's put the State in untenable position and it is
11 almost a built-in automatic stay, which we don't think the
12 law provides for.

13 THE COURT: Thank you. The Court of Appeals in 14 its previous decision granted a stay of execution such time 15 as the district court has had an opportunity to conduct a 16 full evidentiary hearing, allowing the State to evaluate Reid and to present evidence concerning his competency. 17 That's 18 exactly the situation we find ourselves in. And at this moment, accordingly, the Court has no choice but to grant the 19 stay of execution, appoint counsel and give the State an 20 21 opportunity to do an evaluation of Mr. Reid.

To the extent that it is necessary to certify an interlocutory appeal of the Court's findings, I am certifying it so that the State can appeal the Court's finding that there is any evidence that would raise a reasonable doubt.

And of course the State will have an opportunity to consider appealing the stay as well. I am going to reduce my order to writing, and it should be out sometime as quickly as possible early this afternoon, but the parties can go ahead and exercise whatever appellate rights they want to seek in light of the Court's order. Anything else that we need to talk about today? MR. MARTIN: Nothing from petitioner. THE COURT: Thank you.

REPORTER' S CERTIFICATE I, Cathy B. Leigh, Official Court Reporter for the United States District Court for the Middle District of Tennessee, with offices at Nashville, do hereby certify: That I reported on the Stenograph machine the proceedings held in open court on June 27, 2006, in the matter of UNITED STATES OF AMERICA vs. RICKY BELL, Warden, Case No. 3:06-00632; that said proceedings in connection with the hearing were reduced to typewritten form by me; and that the foregoing transcript (pages 1 through 113) is a true and accurate record of said proceedings. This the 27th day of June, 2006. <u>/s/ Cathy B. Leigh</u> Cathy B. Leigh, RDR, CRR Official Court Reporter